

Roger D. Ling, ISB #1018  
Attorney at Law  
P.O. Box 396  
Rupert, Idaho 83350  
Telephon: (208) 436-4717  
Facsimile: (208) 436-6804

John K. Simpson, ISB #4242  
Travis L. Thompson, ISB #6168  
Paul L. Arrington, ISB #7198  
**BARKER ROSHOLT & SIMPSON LLP**  
113 Main Avenue West, Ste. 303  
P.O. Box 485  
Boise, ID 83303  
Telephone: (208) 733-0485  
Facsimile: (208) 735-2444

*Attorneys for Petitioner:*  
A&B IRRIGATION DISTRICT

**BEFORE THE DEPARTMENT OF WATER RESOURCES  
OF THE STATE OF IDAHO**

IN THE MATTER OF THE PETITION	)	DOCKET NO. 37-03-11-1
FOR DELIVERY CALL OF A & B	)	
IRRIGATION DISTRICT FOR THE	)	<b>SECOND AFFIDAVIT OF</b>
DELIVERY OF GROUND WATER AND	)	<b>TRAVIS L. THOMPSON</b>
FOR THE CREATION OF A GROUND	)	
WATER MANAGEMENT AREA	)	
_____	)	

STATE OF IDAHO    )  
                                  ) ss.  
County of Twin Falls )

TRAVIS L. THOMPSON, being first duly sworn upon oath, hereby deposes and says:

1.     I am a duly licensed attorney representing A&B Irrigation District in the above-captioned matter.

2. I am over the age of 18 and have knowledge of the documents and legal proceedings pertinent to this matter.

3. A true and correct copy of an excerpts from the transcript of the deposition of Christian R. Petrich, Ph.D., dated September 24, 2008, is attached hereto as Exhibit A.

4. A true and correct copy of an excerpts from the transcript volumes I and II of the deposition of Dan Temple, dated June 25, 2008, is attached hereto as Exhibit B.


Dated this 29 day of October, 2008.

**BARKER ROSHOLT & SIMPSON LLP**



TRAVIS L. THOMPSON  
Attorney for A&B Irrigation District

SUBSCRIBED AND SWORN to before me this 29 day of October, 2008.



Notary Public for Idaho  
Residing at: Twin Falls  
Commission Expires: 4/3/12

# EXHIBIT A

Petrich Deposition

Tr. at p. 64, Ins. 11-15

Tr. at p. 66, Ins. 8-18

BEFORE THE DEPARTMENT OF WATER RESOURCES  
OF THE STATE OF IDAHO

IN THE MATTER OF PETITION FOR )  
DELIVERY CALL OF A & B IRRIGATION ) DOCKET No: 37-03-11-1  
DISTRICT FOR THE DELIVERY OF )  
GROUND WATER AND FOR THE CREATION )  
OF A GROUND WATER MANAGEMENT )  
AREA )

COPY

DEPOSITION OF CHRISTIAN R. PETRICH, Ph.D.

September 24, 2008

REPORTED BY:

COLLEEN P. KLINE, CSR No. 345

Notary Public

**M & M** Court  
Reporting  
Service, Inc.

Since 1970  
Registered Professional Reporters

**SOUTHERN**  
1-800-234-9611

■ BOISE, ID  
208-345-9611

■ TWIN FALLS, ID  
208-734-1700

■ POCATELLO, ID  
208-232-5581

■ ONTARIO, OR  
541-881-1700

**NORTHERN**  
1-800-879-1700

■ COEUR D'ALENE, ID  
208-765-1700

■ SPOKANE, WA  
509-455-4515

1 an authorized amount. It does not provide an  
2 amount that is somehow guaranteed. And that  
3 simply producing less water on a right, does not  
4 in and of itself indicate injury.

5 Q. You understand the prior appropriation  
6 doctrine in Idaho; what that means?

7 A. From a layperson's perspective, yes.

8 Q. What does it mean to you?

9 A. It would -- it's a basis for allocating  
10 water in times of shortage. And those with the  
11 most senior rights would have priority use.

12 Q. It doesn't matter what the supply is?

13 A. I think that that -- I mean, we're  
14 getting into an area that becomes more legal than  
15 hydrologic. That's also balanced with some of  
16 the components in the Ground Water Act that would  
17 look for encouraging full development of the  
18 resource.

19 Q. Are you qualified to offer an opinion  
20 on injury?

21 A. From a legal standpoint, no.

22 Q. From a technical standpoint?

23 A. I think the -- no, I would not be able  
24 to make the ultimate decision about injury. And  
25 I believe that that would go -- that

1 responsibility would rest with the Department.

2 Q. So your statement that pumping less  
3 than diversion rate, less than the volume, does  
4 not in itself represent injury. Do you have an  
5 opinion on what does represent injury?

6 A. Well, I think in this case, it -- the  
7 sentence speaks for itself. As far as injury,  
8 you know, just simply pumping less than an  
9 authorized amount, in my experience has not been  
10 deemed to be injury.

11 I'm not qualified to determine injury.  
12 The Department would. But the Department, I  
13 think, would typically look at things like  
14 reduced crop yields, or fallowed acres as signs  
15 of potential injury.

16 Q. Have you read the Conjunctive  
17 Management Rules' definition of material injury?

18 A. Not recently.

19 Q. Have you reviewed Hearing Officer  
20 Schroeder's prior decision describing what  
21 constitutes prior injury to a senior water right?

22 A. Not in detail.

23 Q. I guess on your general lay experience  
24 and your understanding of the Prior Appropriation  
25 Doctrine in Idaho, if the exercise of another

1 water right contributes to reduce water  
2 availability to a senior right, does that  
3 constitute injury?

4 A. Not automatically. I think that  
5 there -- I mean, there are a variety of criteria.  
6 So there would be a prior appropriation of a  
7 priority of the right. There would be some of  
8 the full economic use and development components  
9 under the Ground Water Act.

10 And the Department ultimately is the  
11 one that would balance those and make a decision.  
12 And at this point, I think we would have the  
13 Department's opinion in the form of the January  
14 order.

15 Q. I guess, how do you infer that .75  
16 miner's inch per acre is sufficient to meet  
17 A & B's irrigation requirements?

18 A. I think there are several references to  
19 the three-quarter inch per acre. First, the  
20 motion to proceed stated that A & B is unable to  
21 divert the average of .75 of a miner's inch per  
22 acre, which is the minimum amount necessary to  
23 irrigate lands within A & B during peak periods  
24 when irrigation water is most needed. So the  
25 motion to proceed noted that that was sort of a

1 minimum peak demand.

2 Second, the annual reports, and it  
3 appears the internal accounting within A & B has  
4 been focused on the .75 threshold. I recognize  
5 that the .75 threshold has also been viewed as a  
6 sort of a basis for when rectification begins.  
7 But the .75 written concept comes from, in part,  
8 the motion to proceed, which states this is the  
9 minimum amount during peak periods that is  
10 necessary to irrigate lands within A & B.

11 Q. So nothing based on your own review or  
12 technical analysis of an irrigation requirement?

13 A. I have not done an irrigation  
14 requirement analysis personally for the A & B  
15 project.

16 Q. And on page 5, you've referenced that  
17 .75 miner's inch as a delivery standard. And I  
18 guess other than the -- I guess where do you come  
19 up with that term, "delivery standard"?

20 A. Could you point me to the paragraph  
21 where I've got that? I just need to read the  
22 context.

23 Q. That second to last paragraph, you talk  
24 about a delivery of more than .75 inches per  
25 acre, if not ideal, is sufficient based on



1 A & B's internal standard.  
 2 A. Okay. It appears that with annual  
 3 reports -- starting in whatever year they began,  
 4 it was 1962 or so through current -- has measured  
 5 the performance of wells based on the delivery of  
 6 either .73 or .75 inches per acre. And to me  
 7 that began looking like a general internal  
 8 standard that was being used by A & B to track  
 9 performance within its system.

10 Q. Let's take a look at Exhibit 64. I  
 11 believe that's the 2007 pump report.

12 A. Okay. I'm sorry. 67?

13 Q. 64.

14 A. 64. (Witness complying.)

15 Q. Do you recognize and understand this  
 16 document?

17 A. Okay.

18 Q. Have you seen it before?

19 A. Yes.

20 Q. And looking over at, I guess, the  
 21 criteria available per acre at the turnout, that  
 22 2007 column at the far right?

23 A. Okay.

24 Q. You understand what's being represented  
 25 there?

1 A. I believe so.

2 Q. And is that a delivery per acre at the  
 3 headgate to A & B landowners?

4 A. That would be, I believe, a delivery  
 5 per irrigable acre at the headgate based on acres  
 6 as A & B has tabulated them, and based on A & B's  
 7 flow records.

8 Q. And would you agree that A & B can  
 9 physically deliver more than .75 miner's inch per  
 10 acre at these various well systems where that  
 11 criteria is above that?

12 A. I think in some of those, it can, yes.  
 13 And, in fact, does.

14 Q. So do you agree for those well systems  
 15 where they deliver more than that, that the .75  
 16 miner's inch is not a maximum rate of delivery on  
 17 those systems?

18 A. Yes.

19 Q. Let's take a look at the order, Exhibit  
 20 1. You can keep that out, too, please.

21 A. (Witness complying.)

22 Q. I'm on page 43, paragraph 23.

23 A. (Witness complying.) Okay.

24 Q. If you could read that second sentence  
 25 in that paragraph?

1 A. (Witness complying.) Okay. Let me  
 2 just put the first sentence in the record, too.  
 3 "In motion to proceed, A & B asserts that .75 of  
 4 a miner's inch is 'the minimum amount necessary  
 5 to irrigate lands within A & B during the peak  
 6 (sic) periods when irrigation water is most  
 7 needed.' However, the USBR, which developed the  
 8 A & B project, stated in a 1985 report that 0.75  
 9 of a miner's inch is the maximum rate of  
 10 delivery."

11 Q. So would you agree that conclusion is  
 12 factually incorrect?

13 A. I agree that some of the well systems  
 14 are able and do deliver more than 0.75 of a  
 15 miner's inch per acre.

16 Q. So the .75 is not a maximum physical  
 17 capacity A & B has in its well systems?

18 A. I believe that's correct.

19 Q. Okay. I guess, what's the basis for  
 20 your statement that A & B can meet crop needs  
 21 with the delivery rate of less than 1,100 cfs?

22 A. Are we through with this (indicating)?

23 Q. Yes.

24 A. And could you please refer me to the  
 25 statement in my report?

1 Q. Page 6, just the top of the page.

2 A. (Witness complying.) I think that  
 3 there are several things there. First of all,  
 4 A & B has been delivering less -- or has been  
 5 delivering less than 1,100 cfs and has -- there  
 6 has been no evidence that I have seen of either  
 7 fallowed acres, or reduced yields as a result of  
 8 being less than 1,100 cfs.

9 It appears -- and I have not followed  
 10 these previous cases or decisions closely -- but  
 11 it appears that five-eighths of an inch has been  
 12 accepted as an appropriate delivery rate in other  
 13 areas that are not far distant from A & B. And  
 14 so it appeared to me that A & B, you know, for  
 15 those reasons, has been able to meet needs with a  
 16 delivery rate that's been less than 1,100 cfs.

17 Q. What do you define as "crop needs" in  
 18 that statement?

19 A. In what context, I think what I'm  
 20 referring to is, a sufficient amount of water to  
 21 produce crops, if the amount of water was  
 22 insufficient, then one would anticipate that  
 23 there would be acres that could not be irrigated,  
 24 or that would have been made fallow -- or would  
 25 have been fallow because of an insufficient water

# EXHIBIT B

## Temple Deposition

Tr. Vol. I, p. 43, Ins. 1-25

Tr. Vol. II, p. 269, Ins. 23-25

Tr. Vol. II, p. 270, Ins. 1-16

Tr. Vol. II, p. 278, Ins. 1-8

BEFORE DEPARTMENT OF WATER RESOURCES

STATE OF IDAHO

IN THE MATTER OF THE )  
PETITION FOR DELIVERY CALL ) Docket No. 37-03-11-1  
OF A&B IRRIGATION DISTRICT )  
FOR THE DELIVERY OF GROUND )  
WATER AND FOR THE CREATION )  
OF A GROUND WATER )  
MANAGEMENT AREA )  
\_\_\_\_\_ )

**COPY**

DEPOSITION OF DAN TEMPLE, VOLUME I

June 24, 2008

REPORTED BY:

AMY HORSLEY, C.S.R. No. 714, R.P.R.

**SOUTHERN**  
1-800-234-9611

**NORTHERN**  
1-800-879-1700

**M & M** Court  
Reporting  
Service, Inc.  
Notary Public

■ BOISE, ID  
208-345-9611

■ POCATELLO, ID  
208-232-5581

■ COEUR D'ALENE, ID  
208-765-1700

■ TWIN FALLS, ID  
208-734-1700

■ ONTARIO, OR  
541-881-1700

■ SPOKANE, WA  
509-455-4515

Since 1970  
Registered Professional Reporters



1 volume.

2 Q. Okay. You used the term "well system  
3 pumping capacity." What does that mean?

4 A. It's the pump or pumps', if it's a dual  
5 pump system, maximum pumping capacity at any  
6 given time.

7 Q. So it's the mechanical capacity of the  
8 equipment?

9 A. I don't know if that's -- I don't know  
10 if that's correct. The pump may have a  
11 mechanical, in your words, pumping capacity  
12 greater than it's pumping. Because of water  
13 table declines, it's pumping less than its  
14 mechanical capacity or its hydraulic design.

15 Q. So how did you determine what that well  
16 system pumping capacity is, or how was that  
17 determined, I guess I should say, for this table?

18 A. It's determined with measuring the flow  
19 from the pump or pumps' discharge.

20 Q. Is that flow measured in pumping rate  
21 as well as hours per day for each of the pumping  
22 rates? Does that make sense?

23 A. Yes. It's a combination of both, but  
24 to get this, it's just the instantaneous flow.

25 Q. Okay. While in the course of an entire

1 irrigation season, which instantaneous flow,  
2 then, do you take for the information in this  
3 column?

4 A. This would be based on the low flow of  
5 the season.

6 Q. Okay. So is that related to the next  
7 column over, Low Pump Rate Under Full Discharge  
8 Typical in Midseason Pumping?

9 A. Yes.

10 Q. How are those two columns different? I  
11 mean I see they are different looking down them,  
12 but how do they end up with different numbers in  
13 them?

14 A. The fourth column, Inches Required to  
15 Deliver .75, that's the inches we need to -- the  
16 minimum amount we need to meet three quarters.

17 Q. Um-hmm.

18 A. The next column over is the actual low  
19 flow measured during that given year.

20 Q. In 1981 were there constant data  
21 recorders on these pumps that would allow you to  
22 basically look at the entire five months of  
23 pumping and pick out the low one, or is it more  
24 of a guess?

25 A. There's not data recorders. Our system

1 is -- all our delivery system is a lock system

2 controlled by district staff. So once the  
3 ditchrider regulates the flow to meet the demand,  
4 there is no change in that system for 24 hours,  
5 excluding power outages or emergency shutoffs.

6 Q. Okay.

7 A. And so he measures that, sets it,  
8 regulates that, measures it the next day, records  
9 it and continues that cycle seven days a week  
10 throughout the irrigation season.

11 Q. Okay. So let's take a hypothetical  
12 well on one of these polygons. And I'm sorry,  
13 the ditchrider, is that what you called them?

14 A. Our terminology is ditchriders.

15 Q. Okay. The ditchrider comes and sets  
16 the pump on June 1st for some rate of production,  
17 and I'm not even going to worry about what that  
18 is. That pump runs for 24 hours at that rate of  
19 production no matter what; is that right?

20 A. Excluding the power outages and  
21 emergencies, yes.

22 Q. Sure. Okay. And then on June 2nd he  
23 may change that?

24 A. He will reregulate it according to  
25 water user demands.

1 Q. Okay. But the pumps run 24 hours?

2 A. Yes.

3 Q. Okay. How often is the instantaneous  
4 flow rate measured?

5 A. It's measured daily.

6 Q. But it would be at that moment when the  
7 ditchrider is there?

8 A. Yes, once a day.

9 Q. Okay.

10 A. And we do have water masters and we do  
11 have a hydrographer that are going out and making  
12 periodic measurements across the project on these  
13 wells. So it could be measured twice in a day,  
14 but the rider measures it and regulates it once.

15 Q. So let's explore that for a minute. So  
16 you've got the ditchrider's records, and he's  
17 presumably recording the time he's there?

18 A. Yes, it's logged.

19 Q. And then there's -- so we know what the  
20 instantaneous rate is at 8:00 in the morning or  
21 whatever time he's there for the season, but then  
22 there might be these spot measurements, which are  
23 a different set of data that are kept by the  
24 water master or the hydrographer?

25 A. The hydrographer, he would keep his.

BEFORE DEPARTMENT OF WATER RESOURCES

STATE OF IDAHO

IN THE MATTER OF THE )  
PETITION FOR DELIVERY CALL ) Docket No. 37-03-11-1  
OF A&B IRRIGATION DISTRICT )  
FOR THE DELIVERY OF GROUND )  
WATER AND FOR THE CREATION )  
OF A GROUND WATER )  
MANAGEMENT AREA )  
\_\_\_\_\_ )

**COPY**

DEPOSITION OF DAN TEMPLE, VOLUME II

June 25, 2008

REPORTED BY:

AMY HORSLEY, C.S.R. No. 714, R.P.R.

**SOUTHERN**  
1-800-234-9611

**NORTHERN**  
1-800-879-1700

■ BOISE, ID  
208-345-9611

■ POCA TELLO, ID  
208-232-5581

■ COEUR D'ALENE, ID  
208-765-1700

■ TWIN FALLS, ID  
208-734-1700

■ ONTARIO, OR  
541-881-1700

■ SPOKANE, WA  
509-455-4515

**M & M** Court  
Reporting  
Service, Inc.  
Notary Public

Since 1970  
Registered Professional Reporters

1 wet, average and dry years. A typical  
2 expectation would be that the efficiencies would  
3 increase in a dry year when there was less water  
4 available and decrease in a dry year when there  
5 was more water available. Instead, the reverse  
6 relationship occurs."

7 Do you have any understanding or  
8 explanation you could provide on that comment,  
9 why that is the case?

10 A. No, I'm not sure what they're referring  
11 to there. I can't respond to that.

12 Q. Let's move on to the bottom of page 35.  
13 That very last sentence that continues on to the  
14 top of page 36 states as follows: "When demand  
15 exceeds capacity, the water users are allocated  
16 water on an equal-share basis according to  
17 irrigable acres."

18 Is the irrigable acres that you're  
19 referring to there those that were certified  
20 originally by the Bureau when the project was  
21 developed as being irrigable, or is that a  
22 current irrigable number?

23 A. It's -- you are correct. It's the  
24 original acres certified by Reclamation as  
25 irrigable.

1 Q. So is this describing that water is  
2 received on a continuous flow basis by the users  
3 as opposed to a rotational basis? It says they  
4 get it on an equal share.

5 A. Well, it says, "When the demand exceeds  
6 capacity, the water users are allocated water on  
7 an equal-share basis according to the" -- it's a  
8 prorated basis depending on the deliverable  
9 amount from the well system.

10 Q. So it would be a prorated reduction to  
11 whatever the supply is that's available?

12 A. Yeah, they get their prorated share of  
13 that supply that is available amongst the acres  
14 in that system.

15 Q. But they still -- the farmers still  
16 take water on a continuous flow basis; they  
17 don't -- one person goes on, and another one  
18 comes off, and you rotate between them?

19 A. No. They call, demand the water; they  
20 can take as long as they want. They're not  
21 forced off on a rotation basis. It's at their  
22 choice.

23 Q. In the middle of that page 36 after the  
24 bullet points, a comment is made, "The district  
25 has abandoned six production groundwater wells

1 and relied on other district water sources in  
2 order to reliably deliver water to the district's  
3 assessed irrigable acreage."

4 Do you see that sentence?

5 A. Yes, I do.

6 Q. What was the source of water that was  
7 utilized to offset those that were abandoned?  
8 Would that be other wells that are producing  
9 above what the needs are?

10 A. No. That is referring to abandoned  
11 wells in the west end of the project, as of this  
12 time frame of this report, that are receiving the  
13 surface water supplies that the district has  
14 moved out there.

15 Q. What would be the conversion acres?

16 A. The conversion acres is correct.

17 Q. We had some discussion yesterday about  
18 a number of the wells that are underproducing  
19 less than the .75 that the district policy would  
20 prefer to achieve. Do you know about how many  
21 wells have the ability to produce more than the  
22 .75 inches per acre of those operating wells,  
23 just a rough percent of how many of them are  
24 under and how many can produce more than that?

25 A. Well, first of all, it will depend on

1 which year. That has changed yearly because of  
2 the wells that continue to fall below that and  
3 wells that are worked on and brought above that.  
4 So I would need a year, but I believe the Motion  
5 to Proceed talked about -- I think it's 39 wells  
6 that were below it in 2006. So it would be the  
7 difference between the 39 and the 177 that were  
8 above.

9 Q. Okay. Yeah, we had that exhibit  
10 yesterday, if I can find it. The list of the  
11 underproducing wells would simply be a --

12 A. Yeah, Item G lands. And I believe it  
13 was 39 in 2006.

14 Q. One would simply need to take those  
15 underproducing wells on a year-to-year basis that  
16 you have identified, if I can find that exhibit,  
17 and subtract those from the total wells, and that  
18 would give you the number that would be  
19 overproducing in that particular year?

20 A. That is correct, but I wouldn't say  
21 overproducing. Were producing more than the .75.

22 Q. Correct.

23 (Discussion held off record.)

24 Q. (BY MR. BUDGE) Okay. Looking at  
25 Exhibit 56 that's entitled "Well Systems With

1 and, again, make the assumptions by looking at  
2 the water table elevations and the hydrographs  
3 and compare that to past years to determine if  
4 the aquifer was in a decline or if it was in an  
5 increase.

6 Then you would have to look at the pump  
7 records to see if there was mechanical problems  
8 with the pumps. If you could rule that out, then  
9 you can make an assumption the decline may or may  
10 not have been caused by aquifer, but I would just  
11 have to be on a case-by-case basis.

12 Q. Okay. Yeah, you haven't done any  
13 analysis that you would have knowledge based on  
14 your review of the record of what was going on  
15 back in the '60s that would cause those shortages  
16 in those units?

17 A. No.

18 Q. The Motion to Proceed that A & B filed  
19 made this statement on pages 7 and 8: It says,  
20 "A & B will continue to suffer water shortages,  
21 and these shortages will become more severe as  
22 groundwater levels in the ESPA continue to  
23 decline."

24 To your knowledge, is that comment  
25 referring to shortages during the peak of the

1 season, or are you also referring to shortfalls  
2 that contend in both the spring and the fall?

3 A. It would have to deal with, I guess,  
4 both. There are particular well systems that  
5 have shortages all through the irrigation season  
6 now, and there are others where well system  
7 shortages are suffered through the peak.

8 Q. And so in making that statement, then,  
9 Dan, you're saying that shortages relate to  
10 instantaneous production rates as well as annual  
11 average volumes? In other words, there's times  
12 throughout the season that some of those systems,  
13 if you did an instantaneous measurement, are  
14 simply going to be below that objective level of  
15 .75 inches?

16 A. And so what was the question on that  
17 statement?

18 Q. Yeah, let me rephrase that. That was a  
19 poor question. When you refer to a shortage  
20 becoming more severe, do you come to that  
21 conclusion based upon instantaneous production  
22 rates, or are you looking at annual production  
23 volumes from a particular unit?

24 A. Again, it would be both. The  
25 instantaneous leads to shortages in annual.

1 Q. Okay. That Petition to Reconsider that  
2 A & B filed also makes a comment on page 6 that  
3 you had converted about 1,446 acres from  
4 groundwater to surface water -- excuse me, 1,447  
5 acres got converted. Has that number changed  
6 since then?

7 A. That was an incorrect number installed  
8 in there. The correct number is 1,377.8 acres.

9 Q. Okay.

10 MR. THOMPSON: What petition are you  
11 referring to, Randy?

12 MR. BUDGE: It was the Petition to  
13 Reconsider on page 6.

14 MR. THOMPSON: Requesting hearing, the  
15 72, Exhibit 72?

16 MR. BUDGE: Yeah.

17 THE WITNESS: I still stand with the  
18 answer.

19 Q. (BY MR. BUDGE) Are there any plans to  
20 increase the number of conversion acres to deal  
21 with your problems in that southwest area?

22 A. No, there are not.

23 Q. Are there limitations to how much  
24 surface water there is available to supply  
25 conversion acres in the B unit?

1 A. There could be limitations on water  
2 available. There are limitations on system and  
3 pumping capacities.

4 Q. And what's the source of that  
5 conversion water?

6 A. Surface water.

7 Q. Is that water that you lease from the  
8 Upper Snake, or is that under the company --  
9 excuse me, under the district's natural flow  
10 rights?

11 A. It's not leased water. It could be  
12 storage water or our -- yeah, storage water.

13 Q. So it's the district's own water?

14 A. Yes.

15 Q. When A & B speaks in some of its  
16 pleadings that we've discussed of water  
17 shortages, what are some of the reasons that  
18 A & B believes that the water shortages exist?  
19 What are some of the causes?

20 A. What are the causes of our water  
21 shortages?

22 Q. Um-hmm.

23 A. It's the aquifer declines that have  
24 occurred caused by junior pumping.

25 Q. So pumping would be a part of that.