

Attachment 4

BEFORE THE DEPARTMENT OF WATER RESOURCES
OF THE STATE OF IDAHO

IN THE MATTER OF DISTRIBUTION OF)
WATER TO WATER RIGHTS)
NOS. 36-04013A, 36-04013B, AND)
36-07148) Docket No.
(SNAKE RIVER FARM)) CM-MP-2009-004
(Water District Nos. 130 and 140))
Third Mitigation Plan)
_____) VOLUME I
(Pages 1-62)

DEPOSITION OF JOHN RANDOLPH MacMILLAN, PH.D.
NOVEMBER 10, 2009

REPORTED BY:
JEFF LaMAR, C.S.R. No. 640
Notary Public

1 THE DEPOSITION OF JOHN RANDOLPH MacMILLAN,
2 PH.D., was taken on behalf of North Snake Ground
3 Water District and Magic Valley Ground Water
4 District, at the offices of Barker, Rosholt &
5 Simpson, 1010 West Jefferson Street, Suite 102,
6 Boise, Idaho, commencing at 3:36 p.m. on
7 November 10, 2009, before Jeff LaMar, Certified
8 Shorthand Reporter and Notary Public within and
9 for the State of Idaho, in the above-entitled
10 matter.

11 APPEARANCES:

12 For Clear Springs Foods, Inc.:
13 BARKER, ROSHALT & SIMPSON LLP
14 BY MR. JOHN K. SIMPSON
15 1010 West Jefferson Street, Suite 102
16 P.O. Box 2139
17 Boise, Idaho 83701-2139
18 For North Snake Ground Water District and Magic
19 Valley Ground Water District:
20 RACINE, OLSON, NYE, BUDGE & BAILEY, CHTD.
21 BY MR. RANDALL C. BUDGE
22 MS. CANDICE M. McHUGH
23 101 Capitol Boulevard, Suite 208
24 Boise, Idaho 83702
25 ///

1 APPEARANCES (Continued)

2
3 Also Present:
4 Larry W. Cope
5 TJ Budge
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 INDEX

2
3 TESTIMONY OF JOHN RANDOLPH MacMILLAN, PH.D. PAGE
4 Examination by Mr. Budge 9
5

6 EXHIBITS

7 24 - Notice of Taking Deposition Duces 5
8 Tecum of John R. MacMillan
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 (Exhibit 24 marked.)
2

3 JOHN RANDOLPH MacMILLAN, PH.D.,
4 first duly sworn to tell the truth relating to
5 said cause, testified as follows:
6

7 MR. BUDGE: Just for the record, before we
8 proceed with the deposition of Dr. MacMillan,
9 Mr. Simpson and I had a discussion off the record
10 relative to Exhibit 19, the notice of taking
11 deposition duces tecum pertaining to Larry W. Cope
12 regarding whether or not those documents, which
13 exist or are available which were not produced for
14 purposes of the deposition would be produced if an
15 IRCP Rule 34 motion to compel were filed.

16 And as I understand it, Mr. Simpson,
17 it's your position that under no circumstances
18 short of an order from the hearing officer will
19 any of the records requested in Mr. Cope's
20 deposition notice be produced?

21 MR. SIMPSON: Well, Counsel, as you went
22 through the Notice of Deposition with Mr. Cope,
23 and went through your list of six items, my
24 recollection is that Mr. Cope answered, number
25 one, that he didn't have any documents or data

1 relied upon --

2 MR. BUDGE: I'm not here to --

3 MR. SIMPSON: I understand that. But you
4 had your opportunity to put it on the record, so
5 so do I.

6 And that you went through the
7 questions with him. And as he answered those
8 questions, he answered them in regards to the
9 documents he had reviewed and relied upon.

10 MR. BUDGE: I think his testimony is in the
11 record.

12 MR. SIMPSON: Right.

13 And that with respect to a party, the
14 normal course of manner if you have a request for
15 production is to go through Rule 34, and there is
16 no duces tecum per se for a party to a proceeding,
17 and instead you go through the formal discovery
18 process under Rule 34 for a request for production
19 of documents.

20 MR. BUDGE: My question was simply if we
21 filed a Rule 34 request for production that would
22 satisfy your interpretation of the rules and
23 correct the procedural defect that you see, my
24 question was simply whether or not Clear Springs
25 will continue to refuse to produce any of the

1 documents requested.

2 And my understanding was off the
3 record that you said "We will not produce any
4 records. You will need to file your motion to
5 compel and obtain an order from the hearing
6 officer."

7 So is that your position, or not?

8 MR. SIMPSON: Well, you can file your
9 motion to compel, and you can file your motion for
10 request for production of documents, and we'll
11 respond accordingly. And how we respond --

12 MR. BUDGE: That's what I plan to do.

13 MR. SIMPSON: Sure.

14 MR. BUDGE: I was just asking if you were
15 willing to informally produce the documents
16 requested. And if the answer is no, we'll file a
17 motion to compel. And I wanted to make clear on
18 the record that you are refusing to produce any of
19 the documents here, even if we were to file a
20 Rule 34 request for production of documents?

21 MR. SIMPSON: Well --

22 MR. BUDGE: I think that's pretty much a
23 yes-or-no answer. And the answer you gave me in
24 the hall is "No, we will not produce."

25 MR. SIMPSON: And my answer can be based on

1 what my perception of your request is. And when I
2 see it in writing, then I can respond to it.

3 MR. BUDGE: Well, it is in writing on
4 Exhibit 19.

5 MR. SIMPSON: As a duces tecum, which --

6 MR. BUDGE: That's right. Assuming that
7 exact same information is requested in a motion to
8 compel, will it be produced, or not? That was a
9 rather simple question.

10 MR. SIMPSON: Well, file it and find out.

11 MR. BUDGE: The answer you gave me verbally
12 in the hall was "No, we will not produce it." And
13 on the record I wanted to simply document that so
14 we could proceed accordingly.

15 Are you not willing to give me an
16 answer on the record that you did informally?

17 MR. SIMPSON: Outside in the hall I said
18 there was two bases for it not producing those
19 records: One is procedural, and the second was
20 that we didn't believe those documents were
21 relevant to Mr. Cope's testimony.

22 And whether I meant all of those
23 documents or not, I would simply rely upon his
24 testimony that he provided earlier in response to
25 your questions on each of the items 1 through 6.

1 And I further would rely upon the previous rulings
2 by the hearing officer in this matter regarding
3 the scope of discovery vis-à-vis tax returns.

4 MR. BUDGE: You mean in the other
5 proceeding that's on appeal in the court?

6 MR. SIMPSON: It's the same proceeding.
7 All this is based on the delivery call.

8 MR. BUDGE: But am I correct to interpret
9 that response as a no, you will not produce any of
10 the documents requested?

11 MR. SIMPSON: I think, in part, my response
12 is based upon that there aren't any documents
13 pursuant to your request on several of those
14 items.

15 MR. BUDGE: Well, we'll move on. I'll
16 accept that as a no.

EXAMINATION

19 BY MR. BUDGE:

20 Q. Mr. MacMillan, just for the record,
21 would you give us your name and business address.

22 A. John Randolph MacMillan. And it's
23 Clear Springs Foods, P.O. Box 712, Buhl, Idaho
24 83316.

25 Q. Do you have a preference whether I

1 refer to you as "Dr. MacMillan" or "Mr. MacMillan"
2 or --

3 A. Randy.

4 Q. -- "John" or "Randy"?

5 A. Randy is fine, as long as we don't get
6 confused.

7 Q. Between you and I?

8 A. Correct.

9 Q. Okay. I assume you've had your
10 deposition taken before?

11 A. Never have.

12 Q. You were present during the deposition
13 of Mr. Cope earlier this morning?

14 A. Yes.

15 Q. And the same comments made to him
16 would certainly apply to you, if you want to take
17 a break for any reason or have any questions,
18 don't hesitate to indicate. And your answers will
19 also have to be audible so the reporter can pick
20 them up.

21 Have you given sworn testimony in any
22 litigation pertaining to Clear Springs Food,
23 Inc.'s business?

24 A. Yes.

25 Q. And what was that testimony?

1 A. In the appeal of the 2005 water
2 delivery order, there was a hearing in front of
3 Justice Schroeder. And at that time I
4 participated on behalf of Clear Springs and
5 provided testimony, sworn testimony.

6 Q. That would be administrative, in the
7 administrative hearing?

8 A. Yes.

9 Q. In any litigation court case have you
10 provided any sworn testimony?

11 A. No.

12 Q. Okay. So that testimony before
13 Justice Schroeder would have been the first sworn
14 testimony that you've given in any court case or
15 in any administrative proceeding?

16 A. No. I have given sworn testimony
17 before congress --

18 Q. Okay.

19 A. -- on hazard analysis critical control
20 points and with regard to congressional proposals
21 to establish a federal program for offshore
22 aquaculture. And I did that twice: once before a
23 house committee and once before a senate
24 committee.

25 Q. Did you have an opportunity to review

1 the notice of taking deposition duces tecum that I
2 think now has been marked as Exhibit 24?

3 A. Yes.

4 Q. Are there any of the documents that
5 would be responsive to that request that you
6 brought with you today?

7 A. No. But in response to that, there
8 was an item, item 11, "All documents you reviewed
9 and will review in preparation for this
10 deposition." There is an additional document that
11 I reviewed yesterday -- this morning. Sorry. And
12 that was a question with regard to NPDES permits
13 and requirements for NPDES permits.

14 Given that the ground water districts
15 are proposing to pump through a pipe and deliver
16 water to us, water that is polluted, I felt a
17 series of questions, or in discovery, so to speak,
18 investigation would be appropriate to see if an
19 NPDES permit would be required for the ground
20 water districts to do that.

21 That was conducted at about 2:30 this
22 morning. And it was simply a review -- a brief
23 review of 40 CFR 121, I believe, part -- or it's
24 either 121 or 122. And I have no conclusions as a
25 result of that investigation.

1 Q. So what was the result of that
2 investigation?

3 A. I have no conclusions.

4 Q. You haven't reached a conclusion?

5 A. No. It's -- it's very conceivable
6 that an NPDES permit would be required. Whenever
7 you deliver water through a pipe, you become a
8 point source. And in this case you would be
9 delivering not only water, but polluted water.

10 And Mr. Eldridge testified in his
11 testimony there, his expert report, that the
12 source of the nitrate was likely agricultural of
13 origin.

14 And so the next question would be
15 whether or not the owner of wells 4 and 2, could
16 he be polluting, he or she, that business, be
17 polluting the water directly below that farm. So
18 that was my line of investigation.

19 And at 2:30 in the morning, I wasn't
20 about to spend the entire morning reading 40 CFR
21 122 or 121 to investigate that.

22 Q. That would simply be one additional
23 document that you reviewed to prepare for your
24 deposition --

25 A. That is correct.

1 Q. -- in addition to --
2 Did you bring some documents with you
3 that you did review?

4 A. Other than my expert report. And the
5 other reports that I've reviewed, I made those
6 available on Friday.

7 Q. Okay.

8 A. Those were on off-flavor stuff,
9 biofilms, and geosmin and methylisolorneo,
10 g-e-o-s-m-i-n, and methyl, m-e-t-h-y-l, iso,
11 i-s-o, l, l, borneo, b-o-r-n-e-o. Those are two
12 fairly prevalent, common products of algae and
13 bacteria that can taint water and taint fish
14 flesh. So those were documents that I provided
15 Friday afternoon.

16 But I believe I also provided the
17 document that -- recently published by the
18 Monterey Bay Aquarium -- and right now the name of
19 that document escapes me, but that was the
20 document that established that rainbow trout were
21 best of the best in terms of environmental
22 properties and nutrition.

23 Q. Did you go through the other items
24 that were requested? Let's just go one at a time
25 down those items requested in the deposition

1 notice. Tell me first whether or not the
2 requested item exists or not, and if it does
3 exist, whether or not it will be produced.

4 A. To my knowledge, all of our
5 discharge -- our diversions, spring discharge
6 records, including spot measurements have been
7 provided -- with regard to the Snake River Farm
8 facility had earlier been produced.

9 And we did get a call from Candice,
10 from Miss McHugh -- Ms. McHugh, sorry, and asking,
11 I think, particularly whether or not we had
12 produced any of -- well, I think maybe it was
13 related to that question. I'm not sure.

14 But we did ask that if there was
15 something she was aware of that we had not
16 included, we'd certainly be glad to do that. I
17 did inquire of staff whether we had provided all
18 that information. As far as they knew, we had.

19 Q. So item No. 1, as far as you know, has
20 been produced?

21 A. That's right.

22 Q. Item No. 2?

23 A. I think that's true as well.

24 Q. Okay. True that that's been produced?

25 A. What's been provided is all that we

1 have, certainly to my knowledge.

2 Q. And No. 3?

3 A. I think that's all been produced as
4 well. And part of this, of course, would be
5 relative to the administrative hearing we had on
6 the appeal of the 2005 water delivery order in
7 front of Justice Schroeder.

8 Q. Okay. And item No. 4, I know there's
9 some marketing information that you made exhibits
10 of.

11 Is there other marketing information
12 that Clear Springs has available that has not been
13 produced?

14 A. Boy, I'm not in the marketing part of
15 Clear Springs, so I can't say that everything has
16 been produced. I can say that we did rely on
17 legal counsel to answer these questions of these.

18 Q. It also requests information regarding
19 information on share of the market, sales, profit,
20 revenue, income, expenses, annual fish production
21 records.

22 I assume those records exist?

23 A. They do exist.

24 Q. And I don't believe any of those have
25 been produced?

1 A. That's correct.

2 Q. Okay. And is it the intent to produce
3 those, or not?

4 A. I think that's relative to the
5 discussion you and Mr. Simpson just had.

6 Q. Okay. 5 requests information relative
7 to fish disposal on a year-to-year basis.

8 Does that information exist? Do you
9 keep records of fish that die in the facility?

10 A. Again, I think that is subject to --
11 to information you and Mr. Simpson just discussed.

12 Q. Subject to objection?

13 A. Yes.

14 Q. Does the information exist?

15 A. I -- well, the records of disposal of
16 fish by sale. They probably do exist. "Including
17 destruction of fish"? I'm not sure I understand
18 what you mean by "destruction of fish." We do
19 record mortality.

20 Q. Mortality records exist, and none have
21 been produced, as far as you know?

22 A. That's correct.

23 Q. On item No. 6, are there economic,
24 business, or engineering reports that pertain to
25 the construction, improvement, operation, and use

1 of the water rights at the Snake River Farm
2 facility?

3 A. Not that I am familiar with.

4 Q. So none exist, as far as you know?

5 A. As far as I know. I've never seen
6 anything relative to Snake River Farm.

7 Q. And as far as No. 7, records and data
8 relating to effluent and influent water quality,
9 quantity, and temperature?

10 A. We have provided all of that
11 information. That is part of the public record
12 with regard to the EPA and NPDES permitting, and
13 that information is provided by way of the
14 discharge monitoring reports.

15 Q. So everything we have relative to
16 influent water has been made available? You seem
17 to distinguish between what's part of the public
18 record that's been reported. Are there other
19 records that are not reported?

20 A. Yes, there are. And we provided the
21 information on nitrate/nitrite nitrogen, and
22 temperature, influent water temperature. And that
23 was part of the records we provided during
24 discovery.

25 Q. On item No. 8, is there any such

1 information? Before you respond to that, back on
2 your response to No. 7.

3 Are there records other than the
4 nitrogen and temperature records that you produced
5 for other chemicals?

6 A. Well, we provided records in the past
7 of -- well, let me back up. We provided -- from
8 the new NPDES permit, we've provided everything,
9 including -- well, we don't test the water for the
10 pesticides that your experts had tested for.

11 In years past, starting about 19- -- I
12 want to say 1989, 1990, we did test for those
13 chlorinated hydrocarbons in spring water delivered
14 to Clear Springs' facilities. That information, I
15 don't know if that was provided to you or not.

16 And that's why we were asking about,
17 with Ms. McHugh, just what she was after that way.
18 So if that is information you would like, we can
19 certainly provide that.

20 Q. Thank you.

21 No. 8, does that information exist?

22 A. I am not aware of -- well, I think
23 some of our other experts have done some work on
24 wells or groups of wells that could impact Clear
25 Springs. I think Dr. Brockway has done some of

1 that work.

2 Q. So --

3 A. And you have his expert report
4 already.

5 Q. So when you referred to "other
6 experts," it would simply be Dr. Brockway?

7 A. I would have to get refreshed
8 memorywise as to whether or not people like
9 Mr. Shaw, David Shaw, or others have done that
10 kind of work.

11 Q. And 9 requests various documents,
12 publications, and literature that was referenced
13 in your testimony on pages 36 and 37.

14 Do you believe that's all been
15 produced?

16 A. That has, with some additional ones.
17 And historically or traditionally for scientists,
18 when somebody requests that kind of information or
19 literature, it's customary to write "with
20 compliments." And I neglected to do that. So if
21 you'd like that, I can certainly do that.

22 Q. So explain exactly how we should do
23 that.

24 A. Well, you can bring them in or, you
25 know, print them off, and I'll put "With

1 compliments, Randy MacMillan." That's the
2 historic practice, traditional practice with
3 scientists.

4 Q. Okay. We'll make a note of that.

5 Item 10, basically documents that you
6 relied on in the preparation of your expert
7 report?

8 A. And the literature cited has been --
9 that's available in the public record. So you
10 should have that. And drafts of documents and
11 prefiled expert reports, I don't have any draft
12 documents.

13 Q. I don't think we covered No. 11.

14 A. No. And I don't know what the
15 production -- request for production Nos. 8, 9,
16 11, 12, and 14. I don't know what those are.

17 MR. BUDGE: Do you have your copy of that
18 available, John?

19 MR. SIMPSON: I don't have it with me.

20 MR. BUDGE: I have it on my computer.

21 MS. McHUGH: Off the record for a second.
(Discussion.)

22 Q. (BY MR. BUDGE): I'll just review for
23 you the request for production on those that are
24 listed there in item 12 to the extent they may not
25

1 have been covered. I think we've covered
2 previously 8, which was water quality and
3 temperature data, which you indicated had been
4 supplied.

5 And does the information produced
6 include temperature data at the spring sources?

7 A. The temperature data that we have has
8 been supplied.

9 Q. Am I correct to assume from your
10 testimony on I believe it was page 34 that
11 temperature is no longer an issue with respect to
12 water from the proposed wells, based on the
13 information that has been provided?

14 A. No, that would be an incorrect
15 assumption.

16 Q. Okay.

17 A. And the reason for that is in my
18 testimony I state that the temperatures are the
19 same, or something to that effect.

20 Q. Yes.

21 A. That doesn't mean that in the delivery
22 process the temperature would be altered. And
23 I've not done an analysis. I think Dr. Brockway
24 is doing that kind of analysis.

25 Q. So you're saying temperature may still

1 be an issue to Clear Springs?

2 A. Could be.

3 Q. On page 34 of your testimony, you say,
4 "Water temperature measured at the well sites and
5 the Fred Nihart Fountain is consistent with the
6 water temperature delivered to Clear Springs Snake
7 River Farm complex"?

8 A. That's correct.

9 Q. So what your concern is is whether or
10 not the actual process of delivery through the
11 pumps and pipes may change that temperature?

12 A. That's correct. And I'm not an expert
13 to know if that's possible or not.

14 Q. As long as we're trying to see what
15 remains an issue, on that same page you provided
16 testimony regarding pesticides. And on lines 988
17 to 989 you stated, quote, "The lack of detection
18 in the well water is reassuring but not surprising
19 given their lack of use," end of quote.

20 So do you consider the issue of
21 pesticides in the water source that will be pumped
22 to be an unresolved issue?

23 A. That's correct. It's unresolved.

24 Q. And do you have any information
25 indicating there are pesticides in the water that

1 would be pumped from the wells that would
2 contradict the testing done by the ground water
3 users, ground water districts' experts indicating
4 there were none?

5 A. I do not have any information that
6 would suggest that the testing that was done by
7 your experts, by ground water districts' experts,
8 would -- was adequate to resolve the issue about
9 whether pesticides are present.

10 In my testimony I do state that there
11 are -- there are herbicides and pesticides that
12 then -- that are used in Idaho that have the
13 potential to be in that -- those ground water --
14 in that ground water, and then provided reference
15 to the Idaho State Department of Agriculture
16 surveys that they do, and identified some of the
17 herbicides that have been found in ground water.

18 Additionally, the -- additionally, the
19 testing that has been done by the ground water
20 districts is restricted to just one or -- one and
21 a part of a month.

22 From a year-round supply standpoint,
23 that's not rigorous enough. That is inadequate,
24 in my judgment.

25 Q. And is the testing for pesticides

1 currently a part of the water-quality testing
2 program that Clear Springs does on the inflow to
3 Snake River Farms?

4 A. Clear Springs currently tests the
5 finished product, the fish, for pesticides. We do
6 not test the water.

7 Q. And has any been discovered in the
8 fish products?

9 A. No.

10 Q. Do you have any reason to believe
11 there are any pesticides in the water source?

12 A. There could very well be pesticides in
13 the -- in the water source. That's part of our --
14 part of our great concern about the use of well 4
15 and well 2 water to deliver to us.

16 The presence of high nitrate-nitrogen
17 in that ground water is indicative of pollution,
18 of contamination. We do not know, nor do the
19 ground water districts know, what are the
20 pollutants that are there. You know what's not
21 there, but you don't know what is there. You
22 haven't tested for atrazine, for example.

23 Q. It would seem to me if there are no
24 what you call pollutants in the fish that you do
25 rigorously test, you must not be too concerned or

1 you would have your own testing of the water
2 supply for pesticides?

3 A. Until the -- until the recent increase
4 in nitrate/nitrite nitrogen was detected at Clear
5 Springs Snake River Farm, we had high confidence
6 that there were -- that there was sufficient
7 oversight by the State and that the testing we had
8 done over the previous 15 years or so of water was
9 indicative of no contamination.

10 With the elevation in nitrate, that's
11 changed. And so we will have to start testing for
12 those pesticides and herbicides. And as you know,
13 that is a very expensive proposition.

14 Q. Okay. Back to the request for
15 production of documents that we were reviewing.
16 And we're looking at item 12. And the request for
17 production No. 9 requested "Records of fish
18 disease, incidents, and pathology records for the
19 Snake River Farm facilities and other facilities
20 that have been identified as production
21 facilities, including the date of the incident,
22 the cause of the incidents, the response, the
23 treatment method, number of fish lost or
24 destroyed, and future corrective action developed
25 as a result of the incident."

1 Do such records exist?

2 A. We do have records. Many of those
3 have been provided to you earlier in our previous
4 hearing. Certainly there are new fish diseases
5 and the -- in our view, the request was redundant.

6 Q. You've made reference to production in
7 the previous hearing.

8 Are you simply referring to your
9 memory of what was produced in that earlier
10 proceeding in 2007?

11 A. That's correct.

12 Q. Okay.

13 A. But I know for a fact that we did
14 provide the disease information with -- in an
15 active commercial fish farm, disease is an
16 ever-present event, daily we do deal with disease,
17 and we deal with treatments.

18 So it really becomes very, very
19 redundant and inconsequential. The question ought
20 to be, has anything changed disease-wise?

21 Q. And has there been any change that
22 you're aware of?

23 A. Yes. Disease has gone down because
24 we've instituted the use of a vaccine.

25 Q. On request No. 11, "Produce documents

1 and records associated with hydrogeological
2 investigation in the vicinity of Snake River
3 Farms."

4 Are there such studies or records that
5 exist?

6 A. Dr. Brockway would have any of those
7 studies.

8 Q. Okay. None that have been
9 independently done by Clear Springs?

10 A. No. No. We're a commercial fish farm
11 and food company.

12 Q. Okay. Let's go to item 13. And that
13 relates to the position asserted by Clear Springs
14 in your testimony and Mr. Cope's that fish are
15 only grown in fresh, pure, pristine water that
16 flows from the canyon.

17 And I believe you'll recall Mr. Cope's
18 testimony that his definition of "pristine" was
19 essentially that the water met water-quality
20 standards for drinking water?

21 A. I recall that testimony.

22 Q. Would that be consistent with your
23 definition of what constitutes fresh, pure,
24 pristine water?

25 A. Well, that is a good -- a good marker.

1 It is not the only marker that I would use as a
2 scientist.

3 Q. Are there documents that exist --
4 referring back to this item 13. Are there
5 documents that exist that would represent some
6 type of a chemical analysis of water that was used
7 by Clear Springs as a basis for making the
8 assertion in marketing material that this is,
9 quote, "pure, pristine water"?

10 A. I cannot attest to what was used --
11 what documents, if any, were used in marketing
12 material. I can attest to the studies that we
13 have done, the data of which has been provided to
14 the ground water districts, with regard to
15 nitrate/nitrite nitrogen, the historic
16 concentrations of those -- of that chemical and
17 the temperature data and the total phosphorus
18 data.

19 Q. Thank you.

20 Your testimony describes the areas of
21 expertise on page 3, that you're an expert in
22 aquaculture science, fish pathology, health
23 management, minor animal species drug approval,
24 environmental regulation, seafood quality
25 assurance, and aquaculture public policy?

1 A. That's correct.

2 Q. And that would be the parameters or
3 sideboards, if you would, of your areas of
4 expertise? Are there others missed?

5 A. Well, there probably are definitely
6 other areas missed.

7 Would you like to hear them?

8 Q. Well, let's just inquire this way:

9 Your formal educational background I gleaned from
10 your testimony was you have a master of science
11 degree from Michigan State and a doctor of
12 philosophy from the University of Washington?

13 A. That's correct.

14 Q. Okay. Have you had any teaching
15 positions at any college or university?

16 A. Yes.

17 Q. What are those?

18 A. I was an associate professor of
19 veterinarian and aquatic animal medicine at
20 Mississippi State University.

21 Q. And when was that?

22 A. Approximate dates would be 1985 to
23 1990. And I would just refer you to my CV for the
24 exact dates of that time.

25 Q. The details of that were attached as

1 an exhibit, I believe?

2 A. That's correct.

3 Q. Okay. Anything regarding your
4 publications or educational training that would
5 not be reflected in your CV that's attached as
6 Exhibit 1?

7 A. I don't think so.

8 Q. Okay. You don't claim to have any
9 expertise in the area of geology?

10 A. No.

11 Q. What about hydrology?

12 A. Only what I've learned being in the
13 water wars.

14 Q. Well drilling expertise?

15 A. No.

16 Q. Any expertise in the area of
17 construction or construction design?

18 A. No.

19 Q. Any expertise in the engineering
20 field?

21 A. No.

22 Q. Do you hold any professional licenses?

23 A. As a professional engineer or a lawyer
24 or --

25 Q. Of any type?

1 A. I did hold a -- it's not a license.

2 It was a certification as a fish pathologist.

3 Q. Any expertise or training in the field
4 of chemistry?

5 A. I've had training in chemistry by way
6 of an EPA training-ship at Michigan State
7 University.

8 Q. Do you claim to have expertise based
9 upon formal education or based upon job or work
10 experience in the field of chemistry or chemical
11 analysis?

12 A. Yes.

13 Q. Describe that area of expertise and
14 what you claim it's based upon.

15 A. Throughout my research career I have
16 looked at the interaction of water quality and
17 fish physiology, fish pathology. During the
18 course of that research, I and my graduate
19 students spent considerable effort to examine the
20 water quality present in catfish ponds and other
21 types of aquaculture environments.

22 Some of the research that we did
23 involved the impact of the -- the interaction of
24 various environmental conditions, such as
25 temperature and nitrate/nitrite nitrogen, and in a

1 condition called winter kill with channel catfish.

2 So -- and then through education at
3 Michigan State University, I had courses in
4 limnology and chemical limnology, and developed
5 some degree of expertise because of that. I do
6 not claim to be, for consulting purposes, a
7 chemical engineer or chemist.

8 Q. What about as an economist?

9 A. No, I'm not an economist.

10 Q. Do you claim to have any expertise as
11 an appraiser in property valuation, business
12 valuation?

13 A. No. No.

14 Q. The current position you hold, I
15 believe, according to your testimony, is vice
16 president of research and environmental affairs
17 for Clear Springs?

18 A. That's correct.

19 Q. And how long have you held that
20 position?

21 A. You could refer to the CV. But I
22 think about 1997, '98, '99, something like that.
23 It's not something I think about very often, so I
24 don't know the specific date.

25 Q. Feel free to refer to that if you

1 desire. I didn't pick up the date, but I may have
2 missed it.

3 A. Yeah, it would be in the front.
4 What's that? 1989. 1998.

5 Q. 1998 to present.

6 During the course of your attendance
7 of the deposition of Mr. Cope earlier today, was
8 there anything in his answers that you would
9 disagree with or would cause you to pause?

10 A. My recollection is that I would
11 elaborate further, but not cause me to pause.

12 Q. Okay.

13 A. But I would like -- if I'm held to
14 that standard, Randy, I would like to review that
15 testimony.

16 Q. Okay. There are a number of areas he
17 deferred to you, which I'll inquire of later.

18 A. Okay.

19 Q. And if there was something that
20 generally jumped out with you as something that
21 you starkly and clearly disagreed with, I wanted
22 to identify it.

23 A. Well, the issue of the quality of
24 water that is proposed to be delivered to Clear
25 Springs by the over-the-rim pipeline, I do take

1 issue that nitrate is a toxin and -- it is a
2 toxin, and that it is an endocrine disrupter. I
3 don't believe Mr. Cope identified that as a
4 concern.

5 Q. And we'll get into this in greater
6 detail later, but just so I have an understanding
7 going into that line of questioning, when I
8 addressed the nitrate issue with Larry Cope, he
9 was generally of the opinion that the
10 water-quality standard above which there would be
11 an area of concern would be the 10 milligrams per
12 liter.

13 Would that also be your understanding?

14 A. No.

15 Q. And so that is the level at which
16 drinking water standards would be exceeded?

17 A. That is the maximum contaminant level
18 for drinking water, that's correct.

19 Q. And based upon your study or analysis,
20 is there a level at which you believe nitrates
21 adversely affect your fish production operation at
22 Snake River Farms?

23 A. Scientific literature does identify
24 nitrate-nitrogen concentrations less than
25 10 milligrams per liter that are toxic to the

1 eggs, the early life stage of rainbow trout.

2 Q. I've seen some of that literature.

3 Aside from that literature, based upon
4 your own personal study, analysis, or experience,
5 is there a level at which you believe nitrates
6 would have an adverse effect on Clear Springs'
7 ability to produce marketable commercial rainbow
8 trout at that facility?

9 A. The answer is yes. And -- but it's
10 not by way of research that I've conducted. The
11 problem -- the problem is from a scientific
12 standpoint, the evidence that nitrate-nitrogen is
13 an endocrine disrupter is very new science.

14 So scientists, in general, have not
15 had opportunity to look to see what the impact is
16 on animals, in general, as a result of exposure to
17 nitrate -- or nitrate-nitrogen.

18 Q. Okay.

19 A. The scientific evidence that is
20 published to date in peer-review journals
21 indicates that virtually all animals will be
22 susceptible to disruption of their hormone system,
23 the endocrine system, following exposure to
24 nitrate-nitrogen, whether it's in the diet, being
25 drunk, or consumed by way of drinking, or whether

1 it's in water that fish, for example, would be
2 exposed to, is not clear. Those are just -- it's
3 an emerging area of scientific endeavor.

4 There are some -- there are three
5 publications that I referenced in my expert report
6 that may be all that's out there in the scientific
7 literature with regard to that issue.

8 One of those studies indicates that
9 nitrate-nitrogen affects the steroid hormones of
10 sturgeon. One of them is a Ph.D. dissertation
11 that was published in August of 2009. And that
12 scientist studied the impact of nitrate-nitrogen
13 on daphnia.

14 Q. On what?

15 A. D-a-p-h-n-i-a, daphnia. It's an
16 invertebrate.

17 Q. Okay.

18 A. The earliest work that I could find
19 was published, I believe, in 2005, which primarily
20 looked at alligators and changes in sex ratios in
21 alligators as a consequence of exposure to
22 nitrate-nitrogen in some lakes in Florida.

23 There have been some biochemical
24 studies -- or not biochemical studies, but cell
25 biology studies, so in vitro studies, that start

1 to identify biological mechanisms for that
2 endocrine disruption.

3 Q. When you say the in vitro phase, what
4 do you mean? What phase?

5 A. Lab bench, dealing with cells in
6 tissue culture.

7 Q. So the in vitro phase in your
8 operation would be at the Soda Springs food
9 facility?

10 A. No. These are -- no. The in vitro
11 studies would be done by other scientists in the
12 world looking at the biological, the biochemical,
13 and genetic effects of changing, of affecting
14 proteins in cell membranes that might affect.

15 Q. With all of that --

16 A. Yeah.

17 Q. -- scientific background, back to the
18 original question, which was, in your opinion, is
19 there a nitrate level in the water at which you
20 believe there will be a negative effect on Clear
21 Springs' ability to raise commercial rainbow trout
22 at the Snake River Farms facility?

23 A. I believe there is a nitrate level
24 that eventually could be identified that would not
25 be inimical to our research, our brood stock, and

1 our production system. But that has not happened
2 yet. We do not know.

3 Q. So your answer is you do not really
4 know?

5 A. That's correct.

6 Q. And do you have an opinion of your own
7 as an expert in this area whether or not the
8 drinking-water-quality standard of 10 milligrams
9 per liter is safe or unsafe for your rainbow trout
10 production?

11 A. Historically, I think the
12 concentrations of nitrate that Clear Springs has
13 received in the water, in the spring water, those
14 have been acceptable for our system.

15 Whether the increased levels we're
16 seeing now are bad, we don't know. We have
17 instituted what we can, projects, to try to
18 identify the source of the nitrate-nitrogen and --
19 and are trying to encourage scientists with far
20 greater expertise and facility than we have to
21 investigate what impact 10 milligrams per liter or
22 15 or 20 or more milligrams per liter
23 nitrate-nitrogen might have on the entire life
24 cycle of the rainbow trout.

25 Q. I don't mean to delve too deeply into

1 this issue initially, which I want to go into when
2 we have some actual records to look at --

3 A. Well, heck.

4 Q. In your testimony on page 31, let's
5 look at that quickly, if we could.

6 A. Let me find that. Page 31?

7 Q. Yes. Starting at lines 877 through
8 about 882, you discuss some nitrate levels in
9 recent sampling. And starting up on line 874, you
10 state, that "In 2007 Clear Springs Food instituted
11 more detailed sampling to determine if particular
12 springs feeding the complex had higher
13 concentrations than others."

14 And were you sampling exclusively for
15 nitrates, or were you looking for other chemicals
16 as well?

17 A. We were exclusively looking at
18 nitrates. We did do some phosphorus sampling.

19 Q. And so --

20 A. Total phosphorus.

21 Q. And you say more detailed sampling in
22 2007.

23 What was the sampling that occurred
24 prior to 2007?

25 A. Well, we have a compliance sample

1 point that's referred to as SR1. That is where we
2 have historically -- that's where water goes into
3 the Snake River Farm itself. So that's been our
4 traditional point of sampling for nitrate and
5 stuff.

6 Q. So when did the sampling at SR1 begin
7 for water quality?

8 A. That's been there since the time of
9 NPDES permitting.

10 Q. Okay. Can you put that into a year?

11 A. 1974, perhaps. I could very well be
12 mistaken as to -- you know, those early permits,
13 NPDES permits, I'm not conversant in. Certainly
14 on the current NPDES permit, SR1 is well
15 identified, as are sample points. So much of the
16 nitrate data that we have relative to the Snake
17 River Farm is associated with that sample site.

18 Q. Okay. And that's right at the intake
19 where it goes into the pipes?

20 A. No. That is at the discharge from the
21 pipes to -- well, no, it's not on that. Discharge
22 to -- it's fresh use water that is -- that enters
23 into the farm at one of the raceways.

24 Q. Do you have a map that would show
25 where that is, that would have all of your

1 sampling sites on it?

2 A. Yes, I think we did provide that to
3 you. Do I have it? I don't think I have it.

4 MR. BUDGE: Let's go off the record.

5 (Discussion.)

6 MR. BUDGE: Let's go back on the record.

7 Q. Randy, we were referring to your
8 Exhibit 9, which is the Snake River Farm complex
9 map or schematic. And I think you were
10 identifying this historic sample point that you
11 call SR1.

12 And the point where the samples were
13 taken were approximately where the fresh line
14 discharges into the SRF raceway?

15 A. Correct.

16 Q. And how often were samples taken at
17 that site?

18 A. Monthly.

19 Q. Whatever that early date was in '74 or
20 wherever, it would be monthly samples?

21 A. Well, again, I don't know that we were
22 sampling nitrate in 1974.

23 Q. Okay.

24 A. I don't know at all. I do know in our
25 database we do have records from 1999, I

1 more detailed sampling?

2 A. That's correct.

3 Q. And where were the additional samples
4 taken? What was the frequency of those?

5 A. Well, the data has been provided to
6 you that identifies the frequency, and as well as
7 I believe we provided -- yes, we have a picture, I
8 believe, that shows the sites that we've been
9 sampling.

10 Q. And how many of those additional data
11 points are there?

12 A. Well, they've evolved over time.
13 Okay? So the number has increased. And I'd have
14 to go through and count how many sample sites
15 there are. But it's all -- the increased detail
16 study is within the Snake River Farm spring
17 complex.

18 So we do sample things like -- there's
19 below the Fred Nihart Fountain, for example,
20 there's a box on the south side of the road, we
21 do -- where water is coming into our facility. We
22 sample that site, for example. And that's --
23 yeah, that would be Expert Report 8, Exhibit 8 --

24 Q. Okay.

25 A. -- identifies the sample sites. Yeah,

1 believe -- that information was provided to you --
2 that identifies probably almost every month.

3 I know this year some samples were
4 discarded prior to analysis one month. And that
5 was noted on the -- on the report. But I believe
6 it is once a month that we do sample that.

7 And then so, Mr. Budge, to go on with
8 what is there, we became concerned that
9 nitrate-nitrogen was increasing at SR1. And we
10 started to ask ourselves, "Well, where is this
11 coming from?"

12 And that's when we instituted the more
13 detailed sampling of the Snake River Farms spring
14 complex. And you end up with the results that are
15 high numbers, from our perspective, that are
16 identified in the testimony here.

17 Q. Okay. What caused you to have the
18 concern that the nitrate levels were increasing?

19 A. The concentration was increasing.

20 Q. At what location? In the fish?

21 A. SR1.

22 Q. Okay.

23 A. So it's in the water.

24 Q. So that was the basis of your
25 testimony, then, that in 2007 you then initiated a

1 I think that's it. And it looks pretty dark on
2 your version here.

3 Q. Is that the map you were referring to?

4 A. Yes.

5 Q. No wonder I couldn't figure those out.

6 A. So we have "VC" is visitor center. We
7 have 3B, 3A, and 3. RD3. RD3 -- is it all right
8 to take this out, or not?

9 MR. SIMPSON: Sure.

10 THE WITNESS: RD3 is this site right here.
11 And these are approximates because it's really --
12 it's down in this area (indicating).

13 2A, 2, 1, and then the fountain. Not
14 the Fred Nihart. This is really on -- collected
15 on this side of the road. And so those are our
16 sites.

17 And there was a legend provided as
18 well, the next page -- no -- oh, yeah, you got it.
19 So those are the sample sites that we've been
20 following for really the past two or so years and
21 getting -- and IDEQ, Idaho Department of
22 Environmental Quality, they have instituted
23 similar studies, although not as intensively
24 sampling all of these sites as we have, attempting
25 to figure out where the nitrate is coming from.

1 And ultimately if you can identify
2 that, the expectation would be that you would
3 institute something that would stop that from
4 happening, because, again, those concentrations
5 exceed the ground water rule for the State and
6 Safe Drinking Water Act requirements.

7 MR. BUDGE: Could we be provided a copy of
8 this, John? Can you make a color copy of this?

9 MR. SIMPSON: Yeah, let's go off the record
10 and see if I can -- yours is too dark?

11 MR. BUDGE: We can do it later off the
12 record. That was part of my loss on that. And I
13 looked at that, and I didn't see anything.

14 (Recess.)

15 Q. (BY MR. BUDGE): So, Randy, back again
16 where we started on your testimony on page 31
17 discussing the sample site of RD3, and you talk
18 about had the highest concentration of 9.8
19 milligrams per liter. And then you say that site
20 peaked in 2008 at 13.14 milligrams per liter, and
21 then in October of '09 was 16.9 milligrams per
22 liter.

23 And then you go on to say that "The
24 concentration in the spring water feeding the
25 visitors center was 18.0 milligrams per liter."

1 What is the site number for the spring
2 water feeding the visitor center that was 18?

3 A. VC.

4 Q. And when I look back at Exhibit 8,
5 which now identifies those sampling sites, the
6 second page, is my understanding correct that the
7 top of the second page is the site identification
8 number or letter?

9 A. Yes.

10 Q. And then in the bottom of the page
11 would be the monthly sampling which began
12 January 15th of 2007 and continues through it
13 looks like September 3rd of 2009?

14 A. (No audible response.)

15 Q. Okay. Help me correlate, if you
16 could. You said a sample site of RD3 had a
17 nitrate level of 9.8. And at that time I think
18 you were assumingly referring to 2007. And I
19 couldn't see anything at -- okay.

20 So you're referring to the
21 October 15th, 2007 level for the reach 9.8?

22 A. Well, the report states in 200- --
23 2007.

24 Q. It said in 2008 RD3 peaked at 13.14?

25 A. 13.14.

1 Q. That would have been on October 7th of
2 2008?

3 A. Is that what the -- okay. It should
4 be the next page. Should be the next page back.
5 So what -- so what column should we be looking at?
6 RD3. October 7th. Okay. 13.14.

7 Q. And your testimony didn't make
8 reference to Exhibit 8.

9 But Exhibit 8 would be the source of
10 the information for that testimony regarding the
11 concentration levels?

12 A. No, it does refer to Expert Report
13 Exhibit 8 up here (indicating). You'll see
14 line 876.

15 Q. Okay. Yeah. In looking at that same
16 graph, Exhibit 8, it appears that all of those
17 test locations, with the exception of RD3, are
18 well below the 10 milligram per liter level; is
19 that correct?

20 A. They are below the 10 milligram per
21 liter, yes.

22 Q. And do you have explanation for the
23 variations that seem to occur from month to month
24 and from year to year, even though they're
25 relatively slight, other than for RD3?

1 A. Do I have explanation for why there's
2 slight variation in the concentrations detected?

3 Q. Yes. One month there will be a 2 and
4 the next month there might be a 5.

5 Would that be --

6 A. That would be cause for alarm. If it
7 varies from 2 to 2 1/2 or 1 to 2 1/2, we wouldn't
8 be concerned about that because, you know, there's
9 vagaries of analytical testing, and there's
10 certainly -- there can be minor events, I suppose,
11 in the ground water supply that could account for
12 that limited variation.

13 If, though, you go from 2 to 5 to 10,
14 that is indicative of something significant going
15 on, in my judgment.

16 Q. And the levels shown in RD3,
17 particularly those cited in page 31 of your
18 testimony, are all higher than what are even in
19 the highest wells, 2 and 4; correct?

20 A. That is -- well 2 and 4? On
21 October 2009, that is correct. In 2008, at 13.14,
22 that would not be correct.

23 Q. If one were to eliminate wells 2 and 4
24 and simply supply from the other wells, all would
25 be well below what you see in your test site RD3

1 within the same basic range of the other four test
2 sites; correct?

3 A. If you were -- if you made the
4 assumption that it didn't change at the other
5 wells, and we don't have any way to judge that
6 because -- any scientific way to judge that
7 because samplings only occurred in September and
8 October of this year of those wells.

9 Q. All right. Are these elevated nitrate
10 levels at site RD3 shown in Exhibit 8 and
11 discussed on page 31 of your testimony? The
12 levels that range from 9 to 18, those that are
13 above the drinking-water standard of 10, do you
14 consider that to be polluted and contaminated
15 water?

16 A. Yes.

17 Q. And so that water is still being
18 delivered to the facilities now and used in that
19 polluted or contaminated state?

20 A. That's correct.

21 Q. And does the fact that that water
22 supply is blended with all of the other sources,
23 which would lower the average obviously well below
24 10, eliminate any concern that you put the fish in
25 any jeopardy?

1 A. No, I would not eliminate the concern.

2 Q. Okay.

3 A. And the reason for that is that
4 water -- well, number one, the concentrations
5 are -- appear to be increasing annually. And we
6 don't know why. So our level of risk is
7 increasing as a consequence.

8 And we have very limited data on the
9 ground water wells that are proposed for use in
10 the over-the-rim project. We have September -- a
11 couple days in September, I believe, and one or
12 two days in early October. That's the extent of
13 the data we have.

14 So we cannot -- we cannot judge what
15 is happening year-round in that water, and
16 certainly not judge how that's going to change
17 over the next -- next year it could be even higher
18 concentrations.

19 Q. So are the nitrate levels depicted at
20 these sites shown in Exhibit 8 what you consider
21 to be within or outside of the control of Clear
22 Springs?

23 A. The appearance of the -- the presence
24 of the nitrate is beyond our control.

25 Q. Including --

1 A. What we do about it is a question that
2 we are debating internally.

3 Q. And let's say that the over-the-rim
4 delivery system was operational and water was
5 being delivered from any combination of wells that
6 was well within the standard you found to be
7 acceptable, within drinking-water standard of
8 10 --

9 A. I didn't say that.

10 Q. I'm saying that as an assumption.

11 A. All right.

12 Q. If the well delivery system was
13 operational and, of course, was being regularly
14 tested similar to your other sites being tested
15 for nitrate and was well within the range of the
16 nitrates of other water supplied and under your 10
17 criteria, would that cause any concern to Clear
18 Springs? In other words, Mr. Cope says we're
19 delivering the same water and it's obvious it's
20 the same water. So if the same water is coming,
21 is that going to cause you concern over nitrates?

22 A. Well, I do disagree with Mr. Cope on
23 that, that comment.

24 Q. Okay.

25 A. It's certainly not the same water.

1 All we can say is is what is the
2 chemical makeup of the water. The chemical makeup
3 of the water, several of the wells that you have
4 selected have nitrate-nitrogen concentrations that
5 are well within the range that Clear Springs has
6 historically received. Two of them are not.

7 Q. Uh-huh.

8 A. We -- you know, we have -- we think we
9 have high confidence that at nitrate levels of 1
10 to 2 to 3 we're not going to see any problems that
11 we can measure.

12 After that, we don't know. So the
13 receipt of that kind of water increases our risk.
14 It increases the potential for upsetting our
15 normal operations at the Snake River brood, the
16 research, and the farm.

17 Q. If the water being received from the
18 elevated site, RD3, were to continue with the
19 levels that you've identified in this 2007 to 2009
20 period, would Clear Springs discontinue the use of
21 that source?

22 A. That would be my proposal.

23 Q. And continue to use water from the
24 other sources?

25 A. That's correct.

1 Q. And your proposal would be out of
2 concern that even blending the RD3 source with the
3 other waters would still pose risk to the fish
4 propagation in the Snake River Farm facility?

5 A. The Snake River brood stock and the
6 research program.

7 Q. And what about in the farm production
8 itself?

9 A. In the farm production itself. If it
10 affects the Snake River brood, then there's no
11 reason to believe it would not affect -- if it is
12 an endocrine disrupter, that it would not affect
13 fish performance on the farms as well.

14 Q. Would you agree that one difference
15 between the water supplied from the springs and
16 the water supplied from the wells is that you
17 would have control over that supply, in the sense
18 that if there was an elevated level detected in
19 the wells, you could either alter the wells to
20 eliminate the high nitrate delivery or you could
21 shut it off altogether, whereas --

22 A. No, I haven't considered that as
23 something. We don't have control over the wells.

24 Q. You would have control over those if
25 they were delivering the water, you could always

1 say "Shut it off"?

2 A. Is that, Mr. Budge, sort of like
3 agreeing to convert so many acres of land and it
4 doesn't happen? And we don't have high confidence
5 that way, that if we were to make a request like
6 that, that that would happen.

7 Q. You don't envision that Clear Springs
8 would have the ultimate say on whether or not to
9 accept or not accept the delivery either up front
10 or during the process of delivery?

11 A. What we probably could do is turn the
12 water off to our facility --

13 Q. That's what I mean.

14 A. -- at our site of delivery. We would
15 have to have some gate or valve to do that. So
16 that -- but then there's no mitigation then, is
17 there?

18 Q. Correct. But I mean if the water was
19 not delivered of suitable quality, you would
20 always have the remedy of shutting it off?

21 A. That's true.

22 Q. And that doesn't exist with respect to
23 your spring sources? You don't have the ability
24 to identify the RD3 source and shut it off and
25 continue to use the other spring sources?

1 A. Well, no, I -- we may have some
2 capability at this particular site to do that. It
3 appears that RD3 -- our belief is, an unconfirmed
4 belief, that RD3 water is coming out at a higher
5 elevation than some of the other sites.

6 Q. Okay.

7 A. So if that's the case, conceivably you
8 could divert that water someplace else. But
9 whether that is possible engineeringwise, we don't
10 know.

11 Q. I understand.

12 MR. BUDGE: Okay. Let's break for the day.
13 It's five o'clock.

14 (Discussion.)

15 MR. BUDGE: Just one other question and,
16 then we'll break for the day. I apologize.

17 Q. Back on the discovery requests. We
18 had requested on request for production 14 "All
19 documents that support Clear Springs' objections
20 to the mitigation plan and related applications."

21 And if I understand it from reading
22 your testimony, as well as Mr. Cope's, part of
23 your objection focused upon the image, the effect
24 on the business, the marketing if you had to use
25 well water as opposed to full spring water;

1 correct?

2 A. Right.

3 Q. And we had a request for production on
4 that that has not been fully responded to.

5 I think you indicated there was some
6 marketing information that you were not fully
7 familiar with that has not been produced?

8 A. There could be marketing information.

9 Q. And what kind of marketing media does
10 Clear Springs use? You have the --

11 A. Well, we have the postcard from Clear
12 Springs, the video. We have the e-mail teasers.
13 Those were all provided in -- you know, as part of
14 my expert report. We have the brochure, that
15 technology water people or something like that.
16 That was the "Water People Technology" brochure.

17 Q. Uh-huh.

18 A. We have the brochure that describes
19 the visitors center. And we have the brochure,
20 the smaller brochure, and I don't recall what the
21 title of that is.

22 Q. You're referring to --

23 A. Those are ones that I'm familiar with.

24 Q. Those are all part of the exhibits
25 that are attached to the testimony?

1 A. That's right.

2 Q. Are there any advertising brochures or
3 media that relate to that branding, the image, the
4 marketing, other than what you've identified in
5 exhibits?

6 A. I do -- I'm not aware of anything else
7 that refers to the use of pure spring water ideal
8 for growing rainbow trout.

9 Q. Okay.

10 A. We do have trade journal pictures,
11 advertisements, that show a chef or two. And
12 they're against -- with the backdrop of the Snake
13 River Canyon, perhaps some springs there. I
14 don't -- I'm not conversant in that. We have
15 that. But that's --

16 Q. In my earlier inquiry with Larry Cope
17 on this issue concerning some of the values that
18 were in the testimony, the value of the company,
19 the current sales, the projected future sales,
20 would that all be information that would be based
21 upon the company records?

22 A. Could you repeat the question?

23 Q. In other words, you had testimony here
24 regarding the value of the company. You had
25 testimony regarding the sales revenues this year

1 haven't been produced under objection?

2 A. That's correct.

3 MR. BUDGE: Okay. Let's resume tomorrow
4 morning.

5 (Deposition adjourned at 5:02 p.m.)

6 (Signature requested.)

7 -oOo-
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 that were projected and next year's that were
2 projected. Your testimony was slightly different
3 than Mr. Cope.

4 Was your testimony based upon
5 financial records of the company?

6 A. No. My -- my testimony is general
7 knowledge about what --

8 Q. What's the source of your general
9 knowledge of company value or company revenues,
10 sales revenues?

11 A. Well, I attend Clear Springs board
12 meetings.

13 Q. And would it be records that are
14 provided at Clear Springs board meetings?

15 A. There would be a record of the -- an
16 annual budget that would show a projected
17 expectation for -- for sales.

18 Q. And so that would be the source of the
19 knowledge that you have that you then relayed and
20 included in your testimony?

21 A. Ultimately.

22 Q. So company records are the source of
23 that information, then?

24 A. That's correct.

25 Q. But those are part of the records that

BEFORE THE DEPARTMENT OF WATER RESOURCES
OF THE STATE OF IDAHO

IN THE MATTER OF DISTRIBUTION OF)
WATER TO WATER RIGHTS)
NOS. 36-04013A, 36-04013B, AND)
36-07148) Docket No.
(SNAKE RIVER FARM)) CM-MP-2009-004
(Water District Nos. 130 and 140))
Third Mitigation Plan)
_____) VOLUME II
(Pages 63-181)

CONTINUED DEPOSITION OF
JOHN RANDOLPH MacMILLAN, PH.D.
NOVEMBER 11, 2009

REPORTED BY:

JEFF LaMAR, C.S.R. No. 640

Notary Public

THE CONTINUED DEPOSITION OF JOHN RANDOLPH MacMILLAN, PH.D., was taken on behalf of North Snake Ground Water District and Magic Valley Ground Water District, at the offices of Barker, Rosholt & Simpson, 1010 West Jefferson Street, Suite 102, Boise, Idaho, commencing at 8:42 a.m. on November 11, 2009, before Jeff LaMar, Certified Shorthand Reporter and Notary Public within and for the State of Idaho, in the above-entitled matter.

APPEARANCES:

For Clear Springs Foods, Inc.:

BARKER, ROSHALT & SIMPSON LLP

BY MR. JOHN K. SIMPSON

1010 West Jefferson Street, Suite 102

P.O. Box 2139

Boise, Idaho 83701-2139

For North Snake Ground Water District and Magic Valley Ground Water District:

RACINE, OLSON, NYE, BUDGE & BAILEY, CHTD.

BY MR. RANDALL C. BUDGE

MS. CANDICE M. McHUGH

101 Capitol Boulevard, Suite 208

Boise, Idaho 83702

APPEARANCES (Continued)

Also Present:

TJ Budge

Charles E. Brockway

INDEX

TESTIMONY OF JOHN RANDOLPH MacMILLAN, PH.D. PAGE
Examination by Mr. Budge 67

EXHIBITS

25 - Snake River Farm Complex schematic 67
26 - Nitrate/Nitrite Nitrogen Data on 97
Spring Water at Snake River Farm
spreadsheet
27 - Tolerance of Developing Salmonid Eggs 106
and Fry to Nitrate Exposure article
28 - Nitrate-induced elevations in 106
circulating sex steroid concentration
in female Siberian sturgeon (Acipenser
baeri) in commercial aquaculture
article
29 - A Profile of the Aquaculture of Trout 170
in the United States

(Exhibit 25 marked.)

JOHN RANDOLPH MacMILLAN, PH.D.,
having been previously sworn to tell the truth
relating to said cause, testified as follows:

EXAMINATION

BY MR. BUDGE:

Q. Good morning.

A. Good morning.

Q. Dr. MacMillan, yesterday we had had
some discussion regarding your expert report,
Exhibit 8.

And the first page of that was an
aerial photograph of the Snake River Farm facility
on which you had identified various sites where
water sampling had been taken?

A. Yes.

Q. And I believe the second page of that
exhibit and third page that we also discussed had
a coding system that identified each of those
sites by number or letter and also the results by
site of the samples taken reflecting nitrate
levels over this sampling period of January 15th,
2007, through September 3rd, 2009?

1 A. Yes.

2 Q. Okay. We also had some discussions
3 regarding your Exhibit 9. Exhibit 9 consists of a
4 number of pages that describe where the water goes
5 in various lines and where measurements were taken
6 at the Snake River Farm facility.

7 And the last page of that is a
8 schematic, not to scale, but a schematic that
9 shows the entire Snake River complex; correct?

10 A. Correct.

11 Q. And am I correct to understand that
12 Exhibit 9, the text part, the first looks like
13 five pages, references various structures,
14 pipelines, and other facilities identified on that
15 schematic?

16 A. That's correct.

17 Q. And so the numbers that are shown on
18 the schematic, the last page of Exhibit 9, tie
19 into the description that you provided in the
20 preceding pages?

21 A. That's correct.

22 Q. Okay. And looking at that Exhibit 9,
23 the last page, the schematic, which you have in
24 front of you, which we've identified as Deposition
25 Exhibit No. 25.

1 And can you confirm Exhibit 25 is a
2 copy of the same schematic that's the last page of
3 Exhibit 9?

4 A. It is a copy that's been adjusted or
5 amended to reflect the approximate location of our
6 additional nitrate-nitrogen sampling.

7 Q. And would you just go ahead and
8 describe the handwriting that you have added on
9 Exhibit 25, which would be new or additional to
10 what one would see examining Exhibit 9. And the
11 confusion would be your testimony Exhibit 9, and
12 we're referring to Deposition Exhibit 25.

13 A. In deposition No. 25, I've located the
14 approximate -- approximate locations of the
15 additional nitrate-nitrogen sampling that we
16 instituted attempting to identify particular
17 springs or spring areas that might be most
18 contributory to the elevated nitrate-nitrogen
19 concentrations that we see -- that we have seen in
20 SR1.

21 So all of those sites that were in SRM
22 (sic) expert report Exhibit 9, I believe -- 8 or
23 9, where we identify those concentrations at all
24 those sites, these circled sites on Exhibit --
25 Deposition Exhibit 25 are intended to represent

1 those approximate locations.

2 Q. And when I look at Exhibit 8 that
3 identifies those nine sample sites, there is a
4 shorter period of data relating to sites RD3A,
5 RD3B, and VC, which are only tested for the period
6 January 7th of 2009 through May 6th, 2009?

7 A. Right.

8 Q. And then that sampling did not
9 continue on subsequent to that date?

10 A. That's correct.

11 Q. Can you just give me an explanation on
12 that?

13 A. Well, that area is covered by weeds
14 and stinging nettles, and so access to the point
15 was not available. Now that DEQ -- actually, DEQ
16 is coming in to sample those sites next week. And
17 they requested specifically that we make sure that
18 the weeds are cleared away so they can gain access
19 to them.

20 And the visitor's center -- let's see.
21 We started -- I can't see the date. But that's --
22 what? -- January of '09? This is an evolving
23 process for us to try to identify where the
24 likely -- which springs that feed that complex are
25 most likely to be the major contributor. And if

1 we can identify that, then maybe we can do
2 something to divert that water away from our
3 operations.

4 Q. Okay. Going down looking at
5 Exhibit 25, sample site SR1, which you identified
6 to be at the head of the SRF raceway?

7 A. Correct.

8 Q. Would that be located on the fresh
9 line?

10 A. That is the fresh line.

11 Q. And so if one traces the fresh line
12 back, the source of the fresh line would be
13 identified as spring No. 3?

14 A. No.

15 Q. Or No. 3?

16 A. No.

17 Q. What is --

18 A. The spring -- the springs all are
19 combined together into our collector. The cobble
20 on the map is cobble that -- rock that we put down
21 over a screen to try to prevent breaches in
22 security. So it's an attempt to cover it up and
23 make it less desirable for people to interfere
24 with our water.

25 So you can't see how all of this water

1 comes together, then, because it's covered up.
2 But it's -- when you go to the site, you can see a
3 few spots where water flow is coming out.

4 The RD3 site is that -- there's a
5 particular pipe that you can access up above much
6 of the rest of the bank there or the structures
7 there. And that -- that's -- there's a pipe there
8 that you can see.

9 Q. Okay. Looking at this Exhibit 5, in
10 the top-left corner there are a number of straight
11 lines that come from what's identified as
12 "spring," and then there's a line, a black line,
13 that has a No. 1 on it, one that has a No. 2, a
14 No. 3, a No. 4.

15 Those are not identifying pipelines?

16 A. Well, no, they could be. 1, for
17 example, is a pipeline, I believe, that feeds the
18 country club and the homeowners' association
19 there.

20 Q. And No. 2?

21 A. And if you refer to the description,
22 okay -- and I don't know what exhibit this is,
23 but --

24 Q. That would be your Exhibit 8.

25 A. Refer to that.

1 Q. Excuse me. Exhibit 9.

2 A. Okay. So Exhibit 9 describes those --
3 those sites. What's -- and I apologize, Randy,
4 that we have -- we have similar numbers for our --
5 for Exhibit 9, and then similar numbers for
6 Exhibit -- must be 8. And so it does get
7 confusing.

8 Now that we've put on Exhibit 25,
9 we've put all those numbers together, I tried to
10 separate those out with the circle. Those circled
11 ones are the ones that we sample for the extra
12 nitrate.

13 And then SR1, as you do your due
14 diligence in analyzing that, the data there, SR1
15 is a common site that we've used. We use SR1 for
16 influent NPDES compliance, and we use SR1 for the
17 extra nitrate sampling.

18 There will be differences -- if you
19 compare the data at SR1, there will be differences
20 between -- slight differences in the
21 nitrate-nitrogen concentrations because there's
22 a -- there's a time difference.

23 So we do the compliance sampling early
24 in the month, and then we do the nitrate -- the
25 additional nitrate sampling a little bit later in

1 the month, still at monthly intervals, though.

2 Q. Okay. So just so I understand, maybe
3 we should back up and start with the springs
4 themselves. I was of the impression that there
5 were a number of springs that emanated over a
6 distance I think you described as --

7 A. 300 yards or so.

8 Q. -- 300 yards.

9 A. That's right.

10 Q. Larry Cope described it similarly.
11 And I understood that that water then emanated out
12 of the springs, entered into some ditch or canal,
13 and then went into a collection pipe and was
14 districted out through your facilities.

15 And Larry Cope gave me the impression
16 when I inquired of him, and it could well be
17 confusion on my part, that there were separate
18 lines, pipelines, into different spring sources.
19 And this Exhibit 25 at least seemed to indicate
20 that those various lines that are identified in
21 black might be separate pipeline.

22 But you're indicating no, that --

23 A. Well, I believe 1 -- No. 1, without
24 the circle, is a pipeline.

25 Q. Okay.

1 A. And that goes to the country club and
2 homeowners' association.

3 Q. Okay. What about No. 2?

4 A. And then 3.

5 Q. Or excuse me. Go ahead. 3.

6 A. 2, 2 is a separate line as well. It
7 goes to the -- much of the raceways we have at the
8 research station.

9 Q. Okay.

10 A. Okay.

11 Q. So that is a line from a specific
12 spring outlet or collection point?

13 A. Collection point.

14 Q. And --

15 A. After that it gets a bit confusing,
16 because No. 3 it just indicates that we are
17 delivering water to the fresh line, but that water
18 is -- it's a collection of a bunch of springs --

19 Q. Okay.

20 A. -- including RD3, RD3A, and 3B. The
21 visitor's center spring, we're not sure just where
22 that goes. That could go just to the visitor's
23 center pond. For us it's a difficult thing to
24 separate out, to speciate these things, because
25 it's all underground.

1 And if the people who had constructed
2 this farm, if they had done it and -- with -- and
3 left us with a record, we would know exactly how
4 all that works, but we don't. We don't have that
5 kind of information.

6 Q. So on No. 1, the pipe that goes to the
7 country club --

8 A. Uh-huh.

9 Q. -- there would be no nitrate sampling
10 site on that line?

11 A. Well, we have an approximate site.
12 RFS site. RFS site, we have a -- there's a
13 blue -- yeah, there's a blue line.

14 Q. Let me clarify. When you said "RFS,"
15 you were meaning site FS?

16 A. Yes. I was going to say -- yeah, site
17 FS.

18 Q. Site FS. Go ahead.

19 A. That is below where I believe your
20 expert sampled -- you sampled the Fred Nihart
21 pipe. There's a spigot there that comes out. And
22 I believe that's where you sampled.

23 Well, below that on the other side of
24 the road from where the spigot comes out is a box
25 that -- it's a locked box. And we take that water

1 to deliver to our research building.

2 Q. And --

3 A. And there's a blue line.

4 Q. There's the blue line.

5 And is that a pipeline?

6 A. That is a pipe.

7 Q. And that is line numbered 4?

8 A. 4, that's correct.

9 Q. Okay.

10 A. Well, I don't think that's line 4.
11 That's just another pipe that we -- we deliver
12 to -- specifically to the research building,
13 because we have historically used that water for
14 drinking.

15 Q. Excuse me for interrupting.

16 A. Yeah.

17 Q. So it's not the blue line that's
18 identified by the No. 4 that goes to the research
19 building?

20 A. The black line. The black line is
21 No. 4 with the arrow.

22 The blue line, where it says from
23 drinking fountain to research building, that blue
24 line --

25 Q. That's unnumbered, then?

1 A. It's unnumbered.

2 Q. Okay.

3 A. When the people made this diagram,
4 they were -- they were -- their task was to give a
5 general schematic of the pipes, some of the pipes
6 that we have, that we know about, and then
7 describe how we measure the water going through
8 the system.

9 Their interest in this design -- in
10 putting this map together was not to specifically
11 identify pipes or specifically identify sites
12 where we might sample water. So we've tried to
13 adapt this schematic to that.

14 Q. Okay. So some instances the black
15 line numbered represents a pipe, in some instances
16 it simply represents a direction of water flow?

17 A. That's correct.

18 Q. Okay. Let's take them one at a time.
19 And I think we've already discussed No. 1 goes to
20 the country club, and that's a pipe.

21 Is No. 2 a pipeline or simply a
22 direction of flow?

23 A. Well, I would really need to refer to
24 our -- to this document (indicating) to be sure,
25 because now you've gotten me confused.

1 Q. Okay. Refer to your Exhibit A. And I
2 read through that and thought I understood it
3 until you described that some lines were not pipes
4 and some were. So that is why I think we need
5 some clarification. And I'm looking at the first
6 page of your -- what says "JMR Expert Report,
7 Exhibit 9."

8 A. JRM.

9 Q. "JRM Expert Report, Exhibit 9." And
10 then down the heading "Where does the water go?"
11 And we discussed No. 1 is the black line that goes
12 to the golf course and housing development.

13 A. Okay.

14 Q. And then I think you identify there
15 approximately 1.5 cfs?

16 A. That's correct.

17 Q. The next one down is No. 2 --

18 A. That's correct.

19 Q. -- which goes to the Snake River brood
20 raceway and spawn building?

21 A. That's correct.

22 Q. And you're saying No. 2 is not a pipe?

23 A. Well, it is a pipe. That's what they
24 say here, it is a pipe.

25 Q. 1 is a pipe. 2 is a pipe. And let's

1 go to No. 3.

2 A. 3 they say is a pipe, but that's not
3 exactly correct, because we have a big collector
4 box. And you've been there. You've seen it. And
5 you can see all this water being collected into a
6 cement flume, and then that gets distributed to
7 the Snake River Farm raceways.

8 So approximately the pipe numbered 3,
9 but there's more than just pipe No. 3 delivering
10 water to that system. So then that -- so that's
11 what the fresh line is. Okay?

12 Q. Okay.

13 A. Then we have -- we use the water
14 through the SRB raceways. That becomes a reuse
15 line, what we call a reuse line.

16 Q. And that is the black line No. 2?

17 A. That's where the -- that's right.

18 Q. And that is actually a pipeline coming
19 into the SRBA raceway?

20 A. The SRB raceway.

21 Q. SRB raceway. And then it discharges
22 into what you would identify as a reuse line?

23 A. That's right.

24 Q. And it ends up into the hatchery
25 building or into the SRF raceway?

1 A. Into the SRF raceways.

2 Q. And then in your testimony you have
3 some description we'll go into later of what those
4 quantities are?

5 A. That's correct.

6 Q. Okay. Then let's go to No. 4. No. 4
7 your text describes as water flowing into the
8 visitor's center pond of about .3 when in
9 operation.

10 A. Yeah. And that's.

11 Q. And the arrow --

12 A. That's right.

13 Q. -- No. 4 --

14 A. Shows it going into the cobble.

15 Q. Okay.

16 A. So the cobble is part of that
17 collection area.

18 Q. I see.

19 A. Or it covers the collection area.

20 Q. Could you just mark on that Exhibit 25
21 what you call the collection area.

22 Is it everything with the dotted line
23 that circles the word "Cobble"?

24 A. Yes. Can I just (indicating).

25 Q. And just for the record, Dr. MacMillan

1 highlighted in yellow everything around the word
2 "Cobble" in the top-left corner of Exhibit 25 that
3 is surrounded by the broken line.

4 And that would be what you call a
5 collection area?

6 A. Right. But it's not -- that's right.

7 Q. And if this over-the-rim plan were to
8 be approved, is that collection area where the
9 water from the wells would --

10 A. That's right.

11 Q. -- end up?

12 A. That's right.

13 Q. And that would be your desire?

14 A. That's right. That's what our water
15 right is. So that's where it would have to be.

16 Q. And referring to the next page as you
17 continue on on your Exhibit 9 with the text
18 description under the topic "Reuse water line,"
19 you describe in the second sentence that "All the
20 water that runs through the SRB raceway," which is
21 line No. 2, "and from the spawn building is
22 collected into the reuse pipe and is sent to" --

23 A. Snake River Farms.

24 Q. -- "SRF."

25 That would be SRF raceway?

1 A. That's right.

2 Q. And when you refer to the word "spawn
3 building" here, would that be the building
4 identified on Exhibit 25 as "Hatchery Building"?

5 A. Yes.

6 Q. And just for the record, that would be
7 adjacent to the west of what's described as the
8 "SRF Raceway"?

9 A. Correct.

10 Q. Okay. And the next topic down under
11 what's called "Freshwater line," you make the
12 statement "The pipe that runs directly from the
13 spring" -- and when you say "directly from the
14 spring," you're talking about the collection area?

15 A. That's right.

16 Q. -- "to SRF is termed the freshwater
17 line. There are four pipes that carry water from
18 this line, intermittent and continuous flows."

19 Can you explain what the four pipes
20 are you're referring to, where they're located on
21 Exhibit 25 and this intermittent and continuous
22 flow concept?

23 A. Well, I don't know what they mean by
24 "intermittent." That's something we'd have to get
25 back to you on.

1 Q. Okay.

2 A. The spring flow has been consistent.
3 We've always had water coming through the system.

4 The water tower No. 5 is where we fill
5 up -- we have water going in there. That's where
6 we fill up water into the hauling tanks for fish
7 so we can back up a truck to that with a big tank
8 on it and put water in it. That is first-use
9 water. Okay?

10 Then we have a -- we do have lawns, so
11 we irrigate the lawns. That is sprinkler box 18,
12 which is located -- okay. Thank you. So that's
13 coming off the -- this diagram would show that
14 that's coming off the fresh line. So then also
15 off the fresh line, water is delivered to the
16 hatchery building.

17 And then 22 off the fresh line is
18 where the golf course receives water and pumps to
19 their system.

20 Q. I believe that was the question that
21 Larry Cope referred to you yesterday.

22 A. Okay.

23 Q. So that golf course line comes off of
24 the fresh line and then goes on to supply the golf
25 course?

1 A. That's right.

2 Q. And is that used for irrigation water
3 by them?

4 A. That's my understanding. They pump
5 that water. And I think that, you know, early on
6 in our efforts to find a suitable mitigation plan,
7 that could have been what caused some confusion
8 for your folks because you thought maybe we were
9 pumping water. But that's not our pump. That's
10 the golf course's pump.

11 Q. And they have a well right there in
12 that same location as No. 22?

13 A. Could be. I don't know what they have
14 there.

15 Q. Okay. And to the extent of what they
16 take at No. 22, I believe they would only irrigate
17 during the summer golf season and at night, I
18 believe?

19 A. I don't know. They certainly irrigate
20 at times during the day because I see it.

21 Q. The fluctuation at 22, when they have
22 water that turns on and off, does that cause any
23 fluctuation that has any adverse impact on your
24 operation of the SRF raceway?

25 A. We -- not that I know of.

1 Q. Okay.

2 A. We check the water flow weekly.
3 Whether we are hitting the water flow, doing the
4 measurement at the same time as the golf course is
5 pulling their water, can't tell you.

6 Q. Now, if we turn to the recent test
7 sites that are identified here.

8 SR1 that I think you described was on
9 the fresh line only; correct?

10 A. SR1 is just the fresh line.

11 Q. And with respect to the water that
12 goes to the SRF raceway that you characterize as
13 reuse coming from the SRB raceway, is there any
14 water-quality sampling or testing done on that?

15 A. Not at this point to SRB raceways.

16 Q. And with respect to the reuse water
17 that goes from the hatchery building at point 19
18 into the SRF raceway, is there any water-quality
19 testing done on that water?

20 A. I don't see point 19, but no, there's
21 not any.

22 Q. (Indicating.)

23 A. Okay. That's from the hatch house,
24 the hatchery building to the SRF raceways.

25 Q. Yes.

1 A. No, there's not.

2 Q. And what goes on in those two
3 facilities that would have an impact on the
4 quality of water going into those raceways?

5 A. Well, at both the SRB raceway, the
6 Snake River brood raceways, we have primarily
7 brood stock there. These are our selectively bred
8 brood stocks, and they're part of our selective
9 breeding program, as well as those circulars that
10 says "Brood Circulars," those are all part of
11 that.

12 But those raceways are about the third
13 the normal size of a farm raceway. And we do fish
14 culture research; we do nutrition research in
15 those raceways. So it's a combination of things
16 happening in the SRB raceways.

17 The hatchery building is where we have
18 our eggs and early life stage.

19 Q. Those would be eggs that came from
20 Soda Springs?

21 A. Or from the Snake River brood
22 operation. And so those are -- that's what goes
23 on there. And the fish are respiring and pooping
24 and, you know, we have traditional waste
25 management practices in place there.

1 But there's still waste products that
2 get into the water. And so that's why we call
3 that the reuse line. So we don't want the reuse
4 water to go into the hatchery building where the
5 most sensitive life stages are.

6 Q. Would I be correct to assume that
7 water going into the brood stock raceways, the
8 research building, and the hatchery building are
9 of a greater concern with respect to water quality
10 than what ends up in the SRF raceway?

11 A. Greater concern?

12 Q. In other words, it's okay to use reuse
13 water in the SRF raceway, but would you use reuse
14 water in the hatchery building or in your brood
15 stock area?

16 A. Well, we would prefer to have
17 first-use water all the time, but we don't. And
18 so to try to maximize the use of the water, we've
19 speciated it the way we have.

20 Clearly for research and brood stock
21 and hatch -- early life stages, which are most
22 sensitive to changes in water quality or to bad
23 water quality or relatively good water quality,
24 the physiology is more sensitive. You do want to
25 use the better of the water if you have that

1 choice.

2 Q. On the top-left corner of Exhibit 25
3 where you've identified the location of the
4 various sample sites, FS, 1, 2A, 3, 3A, 3B, and
5 VC, those all appear to be located in an elevation
6 above the collection area?

7 A. That's -- well, all the water is above
8 the collection area.

9 Q. Okay.

10 A. But including all the 3As, 3, and the
11 VC.

12 Q. And how and why were those various
13 sites selected?

14 A. Well, we had, for the most part, good
15 access to it. It's coming from -- instead of from
16 the left of the cobble, it comes from the right of
17 the cobble. So that led us to think, well, maybe
18 it is a different -- I mean there is some
19 difference in where the water is coming from.

20 And our efforts now to try to -- try
21 to differentiate the source of the water -- the
22 different sources of water, that's what we've come
23 up with today.

24 As -- it's becoming clear that the
25 nitrate-nitrogen issue is not going to go away for

1 the springs. So we're going to have to put in
2 more effort, more research effort, to try to
3 identify and determine whether or not it's
4 possible to deflect that water away from not only
5 the fresh line but the reuse line too.

6 At this point, as we talked about
7 yesterday, we don't know, but there is great
8 suspicion, that nitrate-nitrogen is an endocrine
9 disrupter. And that changes the entire long-term
10 history of production or the process of raising
11 these fish and doing the research and doing the
12 brood stock selection.

13 And just because the way those
14 disrupters work, you just need a little bit. So
15 that's what the scientific literature says. We
16 don't know what the concentration is you need for
17 nitrate. But scientific literature talks very
18 extensively about how endocrine disrupters can
19 affect all of us, including fish, at very low
20 levels.

21 And so we're going, "Here we've got
22 the selective breeding program. We've got early
23 life stages, which we know are more sensitive to
24 things. What are we going to do with this
25 nitrate?" So it's a challenge.

1 Q. At this point you don't know that
2 there's any problem or effect on your operation,
3 but you're concerned that there may be if the
4 nitrate levels increase, or do you have concerns
5 at existing levels?

6 A. At existing levels.

7 Q. And the one test site that has the
8 elevated levels is the one that's VC?

9 A. No. 3, RD3 has elevated
10 concentration. All of the 3's have elevated
11 concentrations.

12 Q. Okay.

13 A. Now, I think, you know -- and I'd have
14 to look at the data there, but 2 -- RD2 has what
15 we would call elevated nitrate compared to the
16 history.

17 Q. Let's look at your Exhibit 8 on that
18 point. I thought yesterday we had identified as
19 RD3 is the only one that has had any exceedance of
20 10, the drinking-water standard?

21 A. Right. But we're not just concerned
22 about the drinking-water standard. We're talking
23 about the elevation from our historic 2 to 3,
24 let's say, at -- so at RD2, if you notice, we've
25 had 4 and 5's. It fluctuates a bit over time.

1 When I say 4 or 5's, I'm referring to milligrams
2 per liter of nitrate-nitrogen. And so that's --
3 that's a concern.

4 We've had it looks like almost a
5 7 milligram per liter at 2A -- or is that RD2?
6 That would be RD2. And so, you know, the
7 messiness of this at this point is we don't -- we
8 don't have a good way to -- to differentiate all
9 the springs that feed into that complex. And so
10 this -- this is our early attempt at trying to do
11 that.

12 And RD2, while it's a stable point, we
13 always go back to that point to measure, we
14 don't -- we don't know where that water is coming
15 from.

16 So -- and the same thing, you go back
17 to RD1 or even the -- what we call the FS spring,
18 there is multiple water -- waters going to that --
19 or springs going to that spot too.

20 So it is a complicated thing that
21 we're trying to sort through. And we're really
22 just in the pretty early stages of trying to do
23 that sorting.

24 Q. And on that point, when I look at this
25 page 2 of Exhibit 8 of your testimony we're

1 looking at, only RD3 has three tests taken over
2 this three-year period -- or excuse me, four tests
3 taken over this three-year period that would
4 exceed the 10 milligram per liter drinking-water
5 standard. And I understood -- and maybe I was
6 wrong. I understood you to say maybe yesterday,
7 or certainly Larry Cope did, that it would only be
8 considered polluted by him if you exceeded the
9 drinking-water standard of 10.

10 So are you considering water at the
11 Clear Springs facility now, based on these
12 samples, to be polluted or contaminated, other
13 than those four samples that were taken at RD3?

14 A. Yes. Pollution occurs, according to
15 state law, state regulations, if you exceed the
16 background level of the concentrations in ground
17 water, you are polluting the water. There's
18 pollution.

19 The way the Safe Drinking Water Act
20 works, they do identify a maximum contaminant
21 level of 10 milligrams per liter nitrate-nitrogen.
22 And the way the -- but that doesn't mean it's not
23 polluted until you get to 10.

24 Q. Would DEQ look at this sample and say
25 there is any risk or concern for people who are

1 drinking the water outside of RD3 on those three
2 samples?

3 A. They would look at 5, DEQ gets into
4 motion to do something about -- try to do
5 something to change practices or if it's a septic
6 system leaking, whatever, try to identify it,
7 because they know that 5 is contamination, and
8 they know that 10 is even worse.

9 Q. And what point would they say that it
10 shouldn't be used for drinking water?

11 A. 10 is the -- 10.01 is going to be the
12 limit for drinking water.

13 Q. Anything under that would be suitable
14 for drinking water?

15 A. That -- well, that's -- depends on who
16 you ask. If you ask EPA, they won't take action
17 until 10.

18 Q. With respect to your comment earlier
19 that these testings gave you concern that nitrates
20 were on the increase, do you do that based upon a
21 comparison of what you see in your samples,
22 Exhibit 8, after January of 2007 with some
23 baseline established prior to 2007?

24 A. That's correct.

25 Q. And I may have missed this in your

1 report.

2 Where is that baseline information
3 reflected in your testimony that would lead to
4 that conclusion?

5 A. In our material provided to you -- I
6 don't know that I put it in the report itself.
7 But we provided to you from 1999 until current,
8 approximately current, what those levels were.

9 Q. Okay. So you looked at the others
10 over the period 1999 up until 2007?

11 A. Uh-huh.

12 Q. And based on a comparison of the '99
13 to 2007 and 2007 on it gave you concern?

14 A. That's right. The other -- the other
15 what I did put in the report was reference to
16 IDEQ's two reports on -- on their investigations
17 of nitrate levels in Thousand Springs area.

18 And in those two reports they -- the
19 first report they identify some increase in
20 concentrations. And then in the second report
21 they're unsure. And that's -- now they're
22 concerned again, and have been since 2007.

23 MR. BUDGE: Let's go off the record just a
24 moment.

25 (Discussion.)

1 (Mr. Brockway joins the proceedings.)
 2 Q. (BY MR. BUDGE): Dr. MacMillan, we
 3 were discussing the sampling prior to 2007 of
 4 nitrates that began in 1999?

5 A. Yes.

6 Q. And would that have been the first
 7 water-quality sampling for nitrates that was done
 8 at the Snake River Farms facility, influent water?

9 A. Well, there was earlier data. I know
 10 that Dr. Brockway even did some studies looking at
 11 the Snake River itself. He may have sampled
 12 those. I don't know.

13 And it's possible that in the NPDES
 14 permits of those days, we sampled nitrate. I
 15 don't recall for sure. In our database 1999 is
 16 what we have, is where we start.

17 Q. Okay. And that was a sample that was
 18 taken only at SR1?

19 A. For the Snake River Farm complex,
 20 right.

21 Q. And were those monthly samples?

22 A. Those were monthly samples.

23 Q. And were the results of those samples
 24 run by an external lab or internally?

25 A. Internally.

1 Q. Okay.

2 A. Using QA/QC procedures and EPA
 3 methods.

4 Q. And that's the database that you think
 5 was produced just in the last several days?

6 A. No. I think that was provided earlier
 7 than just the last few days.

8 Q. Earlier in this particular
 9 proceeding --

10 A. Yes.

11 Q. -- or one of the prior proceedings?

12 A. This proceeding.

13 Q. We'll continue on, see if we can
 14 locate that.

15 A. You know, if -- I have it in my car,
 16 if you want to just --

17 MR. SIMPSON: Cut to the chase?

18 THE WITNESS: Yeah.

19 MS. McHUGH: That would be great.

20 MR. BUDGE: Let's take a break and go do
 21 it.

22 (Recess.)

23 (Exhibit 26 marked.)

24 Q. (BY MR. BUDGE): Dr. MacMillan, we've
 25 marked as Deposition Exhibit 26 a document

1 entitled "Nitrate/Nitrite Nitrogen Data on Spring
 2 Water at Snake River Farm."

3 And is that the document that you
 4 referred to in your testimony that reflected the
 5 data from monthly sampling at sample point SR1
 6 covering the period January 1999 through August of
 7 2007?

8 A. That's the one, although the title is
 9 "Nitrate-Nitrite Nitrogen Data."

10 Q. Thank you.

11 And would that Exhibit 26 represent
 12 the database of information on nitrates that you
 13 were referring to when you compared it to the
 14 information depicted in your Exhibit 8, testimony
 15 Exhibit 8, which led you to conclude that there
 16 was some increases of concern?

17 A. That's correct.

18 Q. And those increases would seem to be
 19 relatively minor at that SR1 site over that time
 20 period?

21 A. On Exhibit 26, the increases, whether
 22 minor or not, we'd have to look at the biological
 23 impact of that. But in -- we had raised --
 24 there's other data besides this that you have as
 25 well that would indicate -- we started sampling in

1 that spring complex other than just SR1 to try to
 2 track the nitrate-nitrogen concentrations. So
 3 that started in -- and we have that January of
 4 2007. We also have SR1, sample --

5 Q. You're referring to --

6 A. Exhibit --

7 Q. -- the second page of your testimony,
 8 Exhibit 8?

9 A. Correct.

10 Q. So that's the other sampling you were
 11 referring to?

12 A. That's correct. And that's why I said
 13 earlier on, you will notice for example -- let's
 14 see. We could even look at January 15th, 2007, at
 15 SR1, we had 3.42 milligrams per liter of
 16 nitrate-nitrogen.

17 We go to January '02 -- I mean '07, we
 18 have -- at SR1 we have 3.67. I would say it's a
 19 minor variation between 3.67 and 3.42. It's not a
 20 minor variation when you go from, say, a 1 part
 21 per million, 1 milligram per liter change.

22 Q. What is the detection error when the
 23 samples are run?

24 A. Well, there's a method detection
 25 limit, and for nitrate/nitrite nitrogen, I'd have

1 to -- it's much less. I'm thinking that it's
2 .005, but I'd have to look at the method itself in
3 our lab to measure that.

4 Q. When you look at all the data on
5 Exhibit 26, which was the 1999 to 2007 sampling
6 period, and compare that with the same data for
7 SR1 on the period going forward from 2007 to 2009,
8 which is your Deposition Exhibit 26, there's a lot
9 of numbers there to compare.

10 Have you done any other statistical
11 analysis or graphing to determine exactly what the
12 percent of change might have been?

13 A. No. I think you have -- you're
14 referring to some graphs. That certainly depicts
15 fairly well the increase that we've observed,
16 particularly in the months of August, September,
17 November, that time frame, in the last two or
18 three years.

19 You know, we could certainly go back
20 in time and, you know, take the -- you could even
21 take the average for each year, just as one
22 approach, and see how that's changed.

23 DEQ has done some analyses, and that's
24 their technical publications 14 and 27, I think.
25 Those were referred to in my report. And they've

1 done some statistical analyses.

2 Q. On these particular samples?

3 A. Yes. Well, on their whole database,
4 Thousand Springs. And part of that data includes
5 this data.

6 Q. Is that something that was produced as
7 well, the DEQ technical paper you referred to?

8 A. It was cited. It's available on their
9 website.

10 Q. Okay. Not part of what was produced
11 here?

12 A. No.

13 Q. So insofar as any internal statistical
14 analysis of this data, that hasn't been done by
15 Clear Springs?

16 A. No.

17 Q. Does Clear Springs have any empirical
18 data or any studies or analysis underway that
19 would attempt to determine the impact on the
20 certain nitrate levels on the fish that you raise
21 there at Snake River Farms?

22 A. No.

23 Q. Is that something that you anticipate
24 undertaking --

25 A. Yes.

1 Q. -- now that this is identified as a
2 concern?

3 A. Yes. And the difficulty is that if
4 this is an -- not "if." It is an endocrine
5 disrupter. The disrupters can be life stage --
6 the disruption can be life-stage dependent. You
7 know, I'm certainly not an expert on endocrine
8 disrupters. I'm just repeating what the
9 scientific literature says.

10 And so, you know, whether we can -- we
11 can try to do those kind of studies. But if it
12 affects brood stock, and apparently it can affect
13 even the germ line -- okay? -- so the DNA of the
14 animals, that becomes very, very difficult to do
15 those studies. I don't know if we're equipped to
16 do that.

17 So at this point that's where we are.
18 We're trying to get some universities to take a
19 look at that. But so far we've not been
20 successful.

21 Q. So as a scientist and expert, would it
22 be accurate to say the nitrate levels that you
23 currently have at your facilities is currently a
24 level of concern that you're watching?

25 A. Well, it's an area of concern that

1 we're investigating.

2 Q. Correct. You don't have any data or
3 information or studies internally or seen data
4 externally that would indicate the levels of
5 nitrates that are currently at the facility
6 springs, those that are under 10, would cause any
7 difficulties or problems with respect to ability
8 to raise commercial rainbow trout?

9 A. Yes, there is a scientific publication
10 that identifies nitrate-nitrogen at levels of 2 to
11 6 or 7 as being lethal to rainbow trout eggs.

12 Q. Is that the one that was cited in your
13 testimony?

14 A. That's correct. That's correct.

15 Q. And what about some of those other
16 studies that suggest that levels of up to 57
17 continue to be safe?

18 A. I'm not sure which studies you're
19 referring to.

20 Q. Well, one of those that was cited, I
21 think you produced, was the Hamlin report?

22 A. Did they look at rainbow trout?

23 Q. No. This says, "A nitrate
24 concentration of 57 milligrams per liter was chose
25 as an upper limit in this study, because this is

1 the maximum concentration deemed safe." And they
2 also looked at a lower concentration and say, "The
3 lower concentration of 11.5 milligrams per liter
4 of nitrate was chosen as the lower limit as it was
5 considered extremely safe, yet realistically
6 achievable under normal aquaculture practices."

7 This is one of your --

8 A. I'm familiar with that.

9 Q. -- articles that was cited in your
10 testimony, I believe?

11 A. It was. And I would just offer that
12 that's not rainbow trout. It's not the early life
13 stage of the trout. So it's not germane, other
14 than to identify that in those particular
15 experimental conditions the nitrate-nitrogen
16 appeared to have some adverse effect to the
17 sturgeon.

18 And it's clear that -- and I did, I
19 think, address this in my report that it is clear
20 that species vary in their sensitivity and life
21 stage too. Nitrate/nitrite nitrogen and to -- we
22 know that's probably true for endocrine disruption
23 as well.

24 Q. Refer to your testimony if you need
25 to. Identify the study in your testimony you're

1 referring to which suggested that 2 to 6 milligram
2 level.

3 A. That would be Kincheloe, et al.

4 Q. Can you identify where that's referred
5 to in your testimony? Is it this one?

6 A. That's correct.

7 Q. Okay. And I think that's on your
8 testimony at page 29, lines 839 to line 841?

9 A. Okay.

10 Q. And where did the first page of that
11 go? We haven't marked this as an exhibit.

12 But this is the study you referred to
13 and I think was produced as a part of the data to
14 support your testimony?

15 A. That's correct.

16 MR. SIMPSON: Why don't we just at least
17 identify it when you hand it to him, so that we --

18 MR. BUDGE: I plan on it.

19 MR. SIMPSON: Okay.

20 Q. (BY MR. BUDGE): And the Kincheloe
21 study that you referred to that found impact at
22 those levels pertained only to a study of egg and
23 fry mortality; is that correct?

24 A. That's my understanding.

25 MR. BUDGE: Let's identify that as

1 Deposition Exhibit 27.

2 (Exhibit 27 marked.)

3 MR. BUDGE: We also discussed the Hamlin
4 study entitled "Aquaculture."

5 And let's mark that as Exhibit 28.

6 (Exhibit 28 marked.)

7 MR. BUDGE: So for the record, we have
8 marked as Deposition Exhibit 27 the Kincheloe
9 paper referred to on page 29, line 839 through 841
10 of the MacMillan testimony. And there is some
11 highlighted information located on pages 576 and
12 578 of that document, which have been added by us
13 and were not part of the copy of that document
14 provided by Dr. MacMillan.

15 Also, Exhibit 28, which is the Hamlin
16 "Aquaculture" article that was referenced on
17 lines 855 through 856 of Dr. MacMillan's
18 testimony, and it also has some highlighting in
19 yellow on some pages that was added by us.

20 Q. Looking back at our Exhibit 25, that's
21 Deposition Exhibit 25, the Snake River Farms
22 complex schematic, the ten sampling sites that are
23 identified there, do you know what percent of the
24 water intake to the facility would be represented
25 by those?

1 A. Do not. We would like to be able to
2 do that, certainly. But there's not a -- you
3 know, it's a messy, messy area in terms of its
4 geography. So you can't get measurements of that
5 one.

6 Q. And when we have looked at the total
7 water rights here at the Snake River Farms complex
8 of 117 cfs based on the partial decree, and I
9 think we have an Exhibit 22 that reflects some of
10 the discharge from the facility that averages
11 somewhere in the 85 to 90 second-feet of water
12 being discharged from that facility, would that be
13 all of the water associated with the uses that
14 we've been discussing here at Snake River Farm?

15 A. All of the uses?

16 Q. Are there other water rights?

17 A. No, I think -- my recollection is that
18 you correctly listed all of our water rights. So
19 as far as I know, that's all the water rights that
20 we have.

21 Q. So when you look at Exhibit 22 that
22 has some period of record -- and I'm not going to
23 be exactly accurate on this, but it looks like
24 flows range somewhere in the 85 to 90, on average.

25 That would be the total supply coming

1 in through these various spring sources into the
2 facility that we've been discussing more
3 specifically?

4 A. In this time frame, that's correct.

5 Q. Okay. Looking at that same
6 Exhibit 25, is the SR1 measurement indicative of
7 the water quality used in the hatchery building?

8 A. SR1 would be indicative of that.
9 That's the fresh water.

10 Q. Okay. And the yellow stars that are
11 located on Exhibit 25 on the top-right corner, one
12 on the fresh line and one on the reuse line that
13 are labeled "Flow meter access," would that be the
14 location at which water-quantity measurements are
15 taken and reported?

16 A. The primary locations, that's correct.

17 Q. And the other locations would be --

18 A. Well, we have some smaller uses, like
19 the research building, sprinkler. Those are all
20 identified in I think it's Exhibit 8 or 9.

21 Q. The location marked "FS" --

22 A. Uh-huh.

23 Q. -- I think did you say earlier that
24 was located below that Fred Nihart pipe?

25 A. That's correct.

1 Q. How far below?

2 A. Probably -- my guess would be 10 feet.

3 Q. Okay. And --

4 A. 10, 15 feet.

5 Q. Is that location from the FS site,
6 does that water go to the research building?

7 A. It does. That's our drinking water.

8 Q. It doesn't go to the research
9 building?

10 A. It does go to the research building.

11 Q. Drinking water of the research
12 building?

13 A. Yeah.

14 Q. And is that site below the road?

15 A. Yes.

16 Q. Okay. And is it in a locked box area?

17 A. There is a lockbox there.

18 Q. Okay. So for purposes of the brood
19 circulars that are identified here on the top-left
20 corner of Exhibit 25, what measurement point would
21 be indicative of the water quality in that area?

22 A. SR1 is the most representative that we
23 have.

24 Q. And would the same apply to the spawn
25 building?

1 A. Correct.

2 Q. And what's identified as the hatchery
3 building, that would be the SR1 site would be most
4 indicative of the quality there?

5 A. Correct.

6 Q. Okay. And so when we look at the
7 quality sites for 3, 3A, 3B, and VC, do they all
8 flow into that collection area that's been
9 identified?

10 A. That's our thinking, that it does.

11 And that's why SR1 -- our thinking is that SR1 has
12 been increasing in nitrate because the spring flow
13 is coming -- the primary sources of contaminated
14 water are from the 3A -- or 3, 3A, 3B spring area.

15 Q. In your testimony you also had raised
16 some concern over the nitrate testing and
17 reporting that was done?

18 A. Yes.

19 Q. And would those concerns be satisfied
20 if a statement were provided from the lab
21 confirming what methods were utilized?

22 A. Yeah, I think the concern -- well,
23 there were two concerns that I identified. One,
24 it was a reference to just nitrate, rather than
25 nitrate-nitrogen. And that -- it's a minor point.

1 I think in a later document, in
2 Mr. Eldridge's supplemental testimony or direct
3 testimony, whatever you call it, some -- he did
4 start referring to -- the measurements that I
5 assume ESC were making was nitrate-nitrogen.

6 There's a difference between nitrate
7 and nitrate-nitrogen. And so many places, for
8 consistency, used the nitrate-nitrogen.

9 The other question was in your ESC lab
10 reports you identified a method, and I couldn't
11 find what that method was. So my assumption is
12 that it's probably a valid method, but I could not
13 verify that because they just didn't identify what
14 the method was. Well, they put a number to it,
15 but it was not listed in EPA's list of approved
16 methods. So a simple statement that says what it
17 is, you know, would allow verification that it was
18 as an accurate method.

19 Q. That would be a statement that would
20 need to come from the lab that did the testing?

21 A. Yeah, they would be the ones that
22 you'd ask for that.

23 Q. Okay. I've got some questions going
24 through your testimony, if you have a copy of that
25 available, that I'd like to go through first, and

1 then we'll come back to a few of the issues that
2 Larry Cope put on your plate if we miss any of
3 them in the process.

4 The first question I have has to do
5 with an attachment to your expert report as
6 Exhibit 2.

7 As I review that and understand your
8 testimony, I believe that was a report that you
9 had filed in conjunction with one of the prior
10 mitigation plans?

11 A. That's correct. Well, yes, I think
12 so. Yes.

13 Q. And your intent here is to present
14 that entire report in this case as an exhibit to
15 the testimony that you filed?

16 A. That was correct.

17 Q. And that report was filed -- I'm
18 referring to your Exhibit 2, would have been a
19 report that was submitted under date of
20 December 3rd, 2008, I believe?

21 A. Sounds right.

22 Well, December 3rd?

23 Q. Yes.

24 A. Okay.

25 Q. Looking at that exhibit, and given its

1 length, some 83 pages, I'm not going to wade
2 through the entire document, but --

3 A. Thank you.

4 Q. -- but on page 3 and continuing on to
5 page 4 you summarize the opinions that you
6 express, and then you later go into those opinions
7 in some considerable detail.

8 If you would look at page 3 of that
9 exhibit where you summarize your opinions, it
10 appeared that a number of those would relate to
11 opinions that were specific to that mitigation
12 plan that involved recirculation of water and
13 other things --

14 A. Yes.

15 Q. -- that would not seem to be material
16 to this plan?

17 A. That's correct.

18 Q. And so if you could go through those
19 opinions that are shown there on 3 and 4, and
20 perhaps identify which ones there you consider to
21 be of relevance to the issues that we have
22 presented on the over-the-rim plan.

23 A. Okay. On line 101 --

24 Q. Okay.

25 A. -- referring to "Physiological stress

1 reduces fish adaptability and ability to withstand
2 additional stress, increases susceptibility to
3 disease, both infectious and noninfectious, and
4 reduces fish performance capacity." Arguably,
5 endocrine disrupters could do something like that.

6 Q. Okay. So that would be an issue that
7 is relevant to this proceeding?

8 A. Yes.

9 Q. Okay.

10 A. "Stress shifts the bioenergetic flow
11 of feed resources, energy, and protein away from
12 somatic growth toward maintenance of" --

13 THE COURT REPORTER: I need you to read
14 that a little slower.

15 THE WITNESS: What?

16 Q. (BY MR. BUDGE): Maybe we could refer
17 to that as the issue that is summarized on
18 lines 104 through 106 located on page 4 of the JRM
19 expert report, Exhibit 2.

20 Okay?

21 A. Okay. 107, line 107 through 110 would
22 be a factor. Line 111 would be. Potentially
23 line 112.

24 And then line 114 through 116 would
25 not be an element because that was directly

1 focused on the proposed recirculation of effluent
2 water.

3 And potentially 117 through 119 could
4 be issues. And those that I've identified could
5 be issues because of nitrate -- nitrate-nitrogen,
6 for example, endocrine disruption, for example,
7 and in the construction of the pipeline, if it
8 were to go near the raceways, that could create
9 stress on the fish.

10 Q. Thank you.

11 So summing up on that Exhibit 2, the
12 opinions expressed in the matter beginning on
13 page 3, lines 87 through 100, you would
14 acknowledge are not relevant --

15 A. Well --

16 Q. -- and also the opinions associated
17 with the summary on lines 114 through 116 are not
18 relevant, and all of the rest of those that you've
19 identified, which are basically lines 101 through
20 113, and also lines 117 through 119, either are
21 considered relevant by you or arguably relevant?

22 A. Well, the earlier ones on page 3,
23 line 87 through 100, are potentially relevant
24 because they're statements about rainbow trout
25 aquaculture under intensive conditions.

1 And under those circumstances various
2 elements in the over-the-rim project could be
3 relevant to those issues identified as opinions in
4 this matter.

5 So for example, "Rainbow trout are
6 complex, physiologically integrated vertebrate
7 animals." That is relevant because they are --
8 it's a statement that they are vertebrates, and
9 they are subject to endocrine disrupters such as
10 nitrate-nitrogen.

11 Q. Okay. Thank you.

12 Going back through your testimony, I
13 just have a number of questions that I think we
14 can walk through from front to back.

15 Beginning on page 5 --

16 MR. SIMPSON: Excuse me. That's not this
17 exhibit, but on his main testimony?

18 MR. BUDGE: Correct, yes. On his original
19 JRM expert report.

20 Q. You make the statement that the plan
21 would be inconsistent with former
22 Director Dreher's requirement that the mitigation
23 be "in time, in place, and in kind." You put in
24 bold the words "in kind."

25 So if the water is the same water,

1 according to Dr. Brockway's testimony and
2 Mr. Cope's testimony, are we not delivering water
3 in kind?

4 A. Well, I don't know what Dr. Brockway's
5 testimony is. Larry Cope's testimony is it's not
6 the same water because it's not spring water.
7 Chemically, it could be. It's certainly very
8 similar to the water that can be delivered to the
9 Snake River Farm.

10 Q. So when you say it's not in kind,
11 meaning because the water came from the well
12 source versus a spring source?

13 A. That's correct.

14 Q. And "in place" would be because the
15 facilities are not yet in place and delivering?
16 Is that what you'd be referring to?

17 A. I was referring to Director Dreher's
18 comment. "In place," yeah, it needs to be at
19 the -- where our spring diversions are.

20 And "in time," that's fairly
21 explanatory, I guess. But that would mean that
22 the mitigation should be provided in time.

23 Q. Okay. On the top of the next page, 6,
24 I had some questions regarding your testimony on
25 lines 96 through 100. And you state on the second

1 line that the plan also "...would not be
2 consistent with Clear Springs Foods' decreed water
3 rights that identify spring water as the source."

4 That wouldn't be accurate relative to
5 the Box Canyon right that identifies Box Canyon
6 Creek as the source; correct?

7 A. That's correct. But it would be
8 accurate for the Snake River Farm.

9 Q. And you go on to talk about there
10 would be a damage to the physical property of
11 Clear Springs and a taking of the property.

12 Are you referring to that pipeline
13 layout that would go through the property that was
14 depicted in Exhibit 2205?

15 A. That's correct.

16 Q. And that layout was basically where
17 you directed the ground water districts to put the
18 pipe if it had to go on your property, which you
19 don't agree to?

20 A. No, that's incorrect. I did not
21 direct Mr. Eldridge to do that. What I -- he said
22 when we met that he would prefer to put it the way
23 that you described.

24 And I said, "No, that is not
25 acceptable to us."

1 And that's why we objected to any
2 survey of that property at first.

3 Q. Did you or someone at Clear Springs
4 give direction if the pipe were to go through your
5 property where it would go, that it would have to
6 avoid the landscaped areas, the raceways, and the
7 like?

8 A. Not that had any authority. If they
9 did, they didn't have authority to say so.

10 Q. So if the pipe were located somewhere
11 outside of your property, such as down the
12 highway, would that alleviate these concerns that
13 you describe here, that there would be a taking of
14 property and --

15 A. That's correct.

16 Q. Okay.

17 A. And I believe Mr. Cope testified to
18 that as well, in essence.

19 Q. That's my recollection. I was simply
20 trying to identify if you had a different view of
21 that than Mr. Cope expressed.

22 On page 7, lines 131 through 133, you
23 make the statement, "The Ground Water Districts'
24 over-the-rim plan fails to deliver the same water
25 as currently received at the Snake River Farm

1 spring complex."

2 That seemed to be in conflict with
3 Mr. Cope's testimony on page 6 and 7 and
4 Dr. Brockway's testimony on page 7 of his
5 testimony that indicated that it was the same
6 water.

7 So is your complaint based on the fact
8 that the water is being delivered again by well
9 versus by spring?

10 A. Well, there's two -- two issues there.
11 One is that it's not spring water. Number two,
12 the data that you have provided is only based on
13 one month, basically, of data collection. That
14 hardly is -- provides any rigor to an analysis of
15 what water would be delivered throughout the year.
16 So from a scientific standpoint, it fails in that
17 respect.

18 Q. At the time you wrote this testimony,
19 had you seen the supplemental testimony of
20 Mr. Eldridge where he did the chemical analysis?

21 A. Had not seen that.

22 Q. I correct that. I think I said
23 Eldridge. Mr. Scanlan.

24 A. I have seen Mr. Scanlan's.

25 Q. And do you have any reason to question

1 the conclusions in that chemical analysis that
2 chemically the water is basically the same?

3 A. Well, as far as his analysis could go,
4 I had no reason to question the validity of his
5 analysis.

6 Q. Okay. And just for the record, I
7 believe we're both discussing Deposition
8 Exhibit 13, if you want to check that. And that
9 is the same as was presented by Terry Scanlan in
10 his supplemental direct testimony dated
11 October 21, 2009, as an attached exhibit, which I
12 don't see was numbered. Excuse me. It was
13 numbered as Exhibit 2024.

14 A. Well, then, Mr. Scanlan's -- in
15 Exhibit No. 13, Mr. Scanlan's technical memorandum
16 to Mr. Eldridge, he does, in his table, identify
17 significant differences in nitrate-nitrogen at
18 well No. 2 and well No. 4.

19 Q. Uh-huh.

20 MR. SIMPSON: Counsel, let's take a break
21 for a minute and use the restroom, and maybe
22 knowing Dr. MacMillan, maybe he does too.

23 MR. BUDGE: Okay.

24 (Recess.)

25 MR. BUDGE: Back on the record.

1 Q. Continuing on page 7, on lines 131
2 through 133, and again on 136 through 138 in your
3 testimony, Dr. MacMillan, you find fault with the
4 plan claiming it doesn't deliver, quote, "usable
5 water" and that several wells are contaminated.

6 Would those concerns relate primarily
7 to the nitrate levels in wells 2 and 4?

8 A. From a chemical standpoint it relates
9 to that issue in 2 and 4. It's not spring water,
10 though.

11 Q. Correct. And so recognizing that
12 there's an overall fundamental objection to any
13 nonspring water delivered by the wells that you
14 expressed and so has Mr. Cope repeatedly
15 yesterday, from a chemical standpoint if wells 2
16 and 4 were eliminated from the mix, it would
17 address the concerns you express here that the
18 water is unusable or contaminated?

19 A. Well, Mr. Budge, eliminating well 2
20 and 4 would be helpful. What we don't -- do not
21 have is a long term-history of -- water-chemical
22 history from the other wells, and certainly not
23 for well 2 and 4.

24 And I know in -- I think in
25 Mr. Eldridge's supplemental testimony he got into

1 looking at some other wells outside the area, or
2 outside that well field as you guys call it, and
3 that's not germane, from my perspective, because
4 it doesn't -- it's not a long-term history of
5 those wells, and they're far removed.

6 Clearly, just looking at your own --
7 the ground water districts' data, there are
8 differences close by amongst wells. So that
9 remains a concern at this point, that we don't
10 have the rigor of analysis of data collection
11 that -- you know, and it's very similar to, you
12 know, Clear Springs collected the data from 1999
13 until present on nitrate at SR1. That's the kind
14 of data that you need to make an informed decision
15 about -- about that water quality from a chemical
16 standpoint.

17 Q. And the only way one might obtain a
18 greater database is to operate for a while and to
19 take additional samples?

20 A. No. The only way to really do it is
21 to have been collecting that data all along. But
22 you're now asking us to accept additional risk
23 with your mitigation plan. And that's --

24 Q. But we can't go back and re-create
25 data --

1 A. You cannot.

2 Q. -- in previous years; right?

3 A. That's right.

4 Q. So all we can do is go forward with
5 what we have.

6 But wouldn't you agree that the risk
7 can be mitigated by having the ability to shut off
8 any delivery from the plan in the event there
9 becomes a delivery of nitrates, or any other
10 chemical for that matter, that exceeds the
11 water-quality levels that you have from your other
12 sources?

13 A. No, I don't agree with that. And the
14 reason is once you've introduced a chemical,
15 depending on the chemical, you can have
16 catastrophic effects on the fish. It could
17 contaminate the fish flesh. So no, that's not --
18 that's not an acceptable thing.

19 Q. Well, let's be realistic here. You
20 have a drinking-water standard of 10 milligrams of
21 nitrate per liter. If you eliminate wells 2 and 4
22 you're not anywhere close to that limit, and
23 you're basically nearly a mirror image of the
24 quality of water, as far as nitrates go, that is
25 currently being received. And whether you

1 disagree or not, chemically, the water, according
2 to Mr. Cope and Mr. Brockway, both have filed
3 testimony in this case that the water is the same
4 water, other than for the fact that one comes from
5 the well and one comes from the springs.

6 So if there were an ongoing change in
7 either the water that you receive at Clear Springs
8 or what's being delivered from the same source by
9 the ground water districts, you would have ample
10 advance time to observe those changes over time,
11 would you not, and be able to make appropriate
12 changes? You can't control your source, but you
13 can control the well delivery source?

14 A. If there is a monitoring program in
15 place, you could probably identify changes in the
16 chemistry at those other wells in a timely way.
17 That is very feasible.

18 Q. But don't you have -- excuse me.

19 A. Whether you can do that, whether the
20 ground water districts would do that is another
21 question.

22 Q. Don't you anticipate an ongoing
23 monitoring program on your spring sources, as you
24 have now?

25 A. We would have an ongoing monitoring

1 program at our wells.

2 Q. Wouldn't you think there would be a
3 similar ongoing monitoring program with water you
4 receive from the over-the-rim plan if it were
5 approved and constructed?

6 A. Why would we be responsible for that?

7 Q. I didn't say responsible. I said
8 wouldn't you expect to have one for Clear Springs
9 to protect the integrity of your water supply from
10 potential risks?

11 A. We would expect, in this type of plan,
12 that the districts would have to sample the water
13 and report timely to us.

14 Q. Okay. That's what I meant. So
15 wouldn't that give you ample opportunity to detect
16 any problem and give you an opportunity to say
17 "This water is different than ours. The nitrate
18 levels have increased. We're not willing to
19 accept it"?

20 A. That's feasible. We'd have to think
21 about how that would work. But that's feasible.
22 It seems feasible on the first blush.

23 Q. On lines 148 and 149 of page 7, you
24 make the statement that the plan will adversely
25 impact nearby Clear Springs' supplies.

1 Is that an opinion you're rendering
2 based on something that Dr. Brockway told you as
3 your hydrologist?

4 A. That is an opinion based on my
5 knowledge, not as an expert hydrologist, but of
6 the spring complex there and the proximity of
7 those wells to our facilities downstream from
8 that.

9 And so it's based on the thinking that
10 current use of those wells is damaging the water
11 flow to the spring river -- or Clear Lake spring
12 complex. So that's an assumption on my part.

13 Q. And is the assumption you're making
14 that supports this conclusion based on the same
15 assumption that Mr. Cope was making yesterday that
16 to the extent these wells, which total 15.79 cfs,
17 were not being delivered to Clear Springs, they
18 would continue to be pumped for irrigation
19 purposes?

20 A. Could you repeat that?

21 Q. Yeah, let me re-ask that in a better
22 way.

23 Prior to the implementation of the
24 plan, the wells associated with the plan, before
25 they were converted to surface water, totaled

1 15.79 cfs; correct?

2 A. (No audible response.)

3 Q. And after they were converted, those
4 wells will no longer be pumped and only 2 to
5 3 second-feet will be delivered over the rim.

6 So the testimony that we've submitted
7 through Dr. Brendecke is that there will actually
8 be a benefit to these springs because you're
9 delivering less water from the wells at 2 to
10 3 second-feet than you historically were pumping
11 for irrigation purposes, the 15.79 cfs?

12 A. Well, I have not read
13 Mr. Brendecke's -- Dr. Brendecke's report, so I
14 don't know what he has said.

15 I think I know that many of those --
16 the question is whether -- how much water are
17 those wells currently using. And they have water
18 rights for 15 or so cfs. Is that how much water
19 they are currently using? And I don't know the
20 answer to that.

21 Q. That's addressed in his testimony.
22 And he concludes that the amount delivered over
23 the rim on an acre-foot basis, a volumetric basis,
24 would be less than was historically used. And so
25 given that fact, it doesn't make any common sense

1 that there could be any adverse effect on other
2 springs, as you suggest here.

3 And so my question was, on what do you
4 base this statement on?

5 A. Well, I base my statement on what I
6 said earlier. And perhaps I should read
7 Mr. Brendecke's report and Mr. Brockway's
8 report -- rebuttal report to that. Dr. Brockway's
9 certainly our expert on that issue.

10 Q. You're not claiming to have any --

11 A. No.

12 Q. -- expertise for purposes of that
13 statement?

14 A. No.

15 Q. Moving on to page 9 of your testimony
16 at the top, lines 194 through 199, you make the
17 statement there that "Traditional row crop and
18 terrestrial animal farming with its use of
19 pesticides, herbicides, antibiotics," so on and so
20 forth, "selective breeding, genetic modification,
21 are of little concern to the consumer, but these
22 are significant concerns for the consumer of
23 seafood."

24 So are you essentially saying that
25 seafood consumers are pretty concerned about

1 knowing anything about if your fish products are
2 genetically modified or if they're selectively
3 bred or if they use antibiotics, but it doesn't
4 apply to the other food industry?

5 A. It's a general statement that -- for
6 example, the ploidy, p-l-o-i-d-y, the number of
7 duplications of chromosomes, most people don't
8 know that wheat is -- has multiple sets of the
9 same chromosome.

10 If you try to do that with fish,
11 consumers are -- many consumers are concerned
12 about that. The GMOs of tomatoes are now pretty
13 well accepted by many consumers.

14 To have a genetically-modified
15 organism, a genetically-modified trout by way of
16 manipulation of the chromosomes, artificial
17 manipulation of the chromosomes, that's -- that's
18 not acceptable to domestic consumers.

19 And there is indeed a significant
20 fight to block that from happening in the United
21 States. With the use of antibiotics, the salmon
22 industry, the wild salmon industry uses the claim
23 that farm fish -- "Avoid farm salmon -- avoid
24 drugs. Don't eat farm salmon." That's their
25 campaign.

1 So that's the basis for that -- those
2 comments there. But you don't see that by and
3 large with terrestrial animals that are used for
4 human consumption or plants. Antibiotics, for
5 example, are used in orchards, on apples. You
6 don't see the use of antibiotics as an issue.

7 Q. So Clear Springs uses selective
8 breeding?

9 A. Yes.

10 Q. And you use genetically-modified fish?

11 A. No.

12 Q. Do you use vaccines?

13 A. Yes.

14 Q. Do you use antibiotics?

15 A. Yes.

16 Q. And so you're saying consumers have
17 concerns about the use of those if they're going
18 to consume fish --

19 A. Yes.

20 Q. -- but they don't have concerns about
21 that if they consume other grown crops or animals?

22 A. What they're concerned about is
23 there's an appropriate regulatory system in place
24 and the ability of a company such as Clear Springs
25 to demonstrate to them, to the buyers, that the --

1 the consumables, consumed fish, are free of
2 antibiotics.

3 Q. So when you make, then, the comment
4 here that these things are, quote, "a significant
5 concern for consumers of seafood," that seems to
6 suggest that consumers of seafood are pretty
7 knowledgeable and pretty particular?

8 A. Some consumers of seafood certainly
9 are.

10 Q. And then I turn to the very next page
11 of your testimony on page 10, at lines 223 to 224,
12 you seem to make a contradictory statement when
13 you say "The lack of consumer knowledge regarding
14 food preparation, handling, nutritional value
15 characteristics, origin, food safety, and species
16 is believed to be considerable."

17 And then you go on two sentences
18 following on lines 227 and 228, and you continue
19 to elaborate, and it says "They," referring to
20 your consumers, "have little knowledge about where
21 seafood comes from. It's how it's grown."

22 It seemed to me that that was some
23 indication you don't have a very sophisticated or
24 knowledgeable or concerned consumer, which is
25 exactly the opposite of what you describe on the

1 previous page.

2 Could you reconcile that
3 inconsistency?

4 A. I wouldn't -- I don't agree it's
5 inconsistent.

6 Q. My perception.

7 A. Yes, it is your perception. It's a
8 multidimensional entity out there in terms of
9 global seafood consumption. And there are
10 consumers who are well informed, there are
11 consumers who aren't as well informed. By and
12 large, consumers are not well informed about
13 seafood.

14 The assumption, the consequence is
15 they assume that seafood is very safe to eat, that
16 it is wild seafood.

17 Now, you have the farm seafood
18 industry coming into play over the past 30, 40
19 years, and we have to be able to demonstrate -- if
20 you're going to be a company like the food company
21 like Clear Springs Foods, you have to be able to
22 demonstrate by multiple dimensions, multiple ways,
23 that the farmed rainbow trout that you've grown
24 are equivalent to the wild, or better even, than
25 the wild trout that you might consume.

1 So that's our challenge. And that's
2 the purpose for those different comments there.
3 That's the purpose for including that analysis
4 that I refer to Kirkley, et al., and Anderson and
5 Shamshak.

6 Q. Further down on page 10 at lines 240
7 through 241 you make the statement, "A poorly
8 educated or misunderstood consumer appears to be
9 fertile ground for accepting additional
10 misinformation."

11 So is it your belief that if 2 to
12 3 second-feet of the water from the East Snake
13 Plain Aquifer is delivered via a well, that that
14 would somehow mandate that Clear Springs change
15 its marketing proposal that all of the fish are
16 produced from pristine spring water?

17 A. As Mr. Cope said, there's an ethical
18 issue involved. We are very -- we try to be very
19 cautious about fraudulent claims, but it's
20 imperfect.

21 When we do go to -- we do give lots of
22 tours around the Snake River Farm complex. We
23 would have a pipeline coming out of the ground
24 someplace with a degassing tower. That will be
25 hard for us to explain what that's all about and

1 be honest about it. So that's a concern.

2 And I see you turning to our water
3 technology and people. You need to understand,
4 Mr. Budge, that that was written back in the
5 mid-'80s or so. And just as Mr. Cope was
6 quizzical about what your concern was, I am too.

7 It's not just the Pioneer Mountains.
8 We know that. We also know that the aquifer, the
9 ESPA itself, is not endless. We didn't know that
10 in 1985. We didn't know it very well, anyway.

11 Q. Well, it's pretty common for
12 businesses to put the most favorable spin they can
13 on their products for marketing purposes that may
14 not be entirely accurate --

15 A. Factual.

16 Q. -- correct?

17 It seems to be consistent with your
18 statement. I wonder if there was a tie-in when
19 you made the statement that we have poorly
20 educated and misinformed consumers that are
21 fertile ground for additional misinformation
22 that --

23 A. Well, you have to be careful about
24 taking things out of context. That whole section
25 was designed to better educate people about what

1 the challenges Clear Springs, and companies like
2 Clear Springs Foods, has out in the marketplace.
3 And that's what our sales and marketing people
4 have to deal with.

5 So if we weaken the image, if we
6 weaken that cachet that we have associated with
7 the use of spring water and the purity of that
8 water or the quality of the fish or the food
9 safety parts of the fish or how they're grown or
10 the service that we provide, if we weaken those
11 things, then we have potential to weaken the image
12 of Clear Springs and diminish our sales.

13 Q. On the next page, 11, on lines 258 to
14 259, you make the statement that "The OTR project
15 proposes to strip Clear Springs Food of this
16 critical point of differentiation and diminish its
17 marketing success."

18 Don't you really think that overstates
19 the case a bit? I mean do you really believe that
20 the delivery of the same water through the well is
21 going to somehow strip Clear Springs of its
22 ability to market its product the way you have
23 been?

24 A. Well, I asked that question of our
25 marketing people, and they said yes, it would,

1 sales and marketing people.

2 Q. And so you think that you would have
3 to change your marketing approach if this plan
4 were approved?

5 A. That's what I'm being told.

6 Q. Well, do you believe that personally?

7 A. I'm not a sales and marketing person.
8 As a scientist, I try to be very, very objective
9 and very honest about things.

10 Q. Well, when you began a few years ago
11 purchasing and marketing rainbow trout from your
12 Argentine and Chilean partners from a water source
13 out of a river, that didn't result in any adverse
14 effect on your marketing plan or change in any of
15 your materials, did it?

16 A. Well, it did have an adverse effect.
17 We cannot sell foreign-born product -- we have to
18 provide on our labels country of origin and method
19 of production, whether it's wild capture or farm
20 raised. We have to do that. And so a number of
21 our customers will not accept the Argentine or the
22 Chilean product.

23 Q. And my question was, did that cause
24 you to change any aspect of any of your marketing
25 plans?

1 A. It did. It caused us to look at
2 different customers and try to secure those
3 different customers as a consequence.

4 Q. It didn't cause you to change
5 Exhibit 5. That foreign purchase has been in
6 place for a few years now.

7 A. Well, Exhibit 5 has been around for a
8 long time. How much it's used, I cannot -- I
9 don't know if it's even used now.

10 Q. The video that was provided didn't
11 seem to suggest there was any change or indication
12 that had been made pertaining to foreign partners?

13 A. That's right. And that video, how
14 often that's used, I don't know. I don't know
15 that it's used very much, if at all.

16 Those exhibits were intended to
17 provide examples throughout our history of how we
18 have emphasized the use of spring water in our
19 marketing efforts.

20 So you have two heads? Is that better
21 than one? Is that how that works, Candice?

22 Q. Are you suggesting that the video and
23 the marketing material is relevant in this case or
24 not relevant?

25 A. It's relevant because it provides

1 historical evidence of how Clear Springs has
2 created its image, its signature in the
3 marketplace as a premier supplier of farm-raised
4 rainbow trout.

5 Q. On page 14, on line 355, you indicate
6 here "...there's no fluctuation in optimal growing
7 conditions for the farmed rainbow trout."

8 Are you referring to temperature or
9 water quality or water volume, or all of those
10 things?

11 A. Well, the primary emphasis there is on
12 temperature.

13 Q. Okay.

14 A. Temperature affects feeding rates and
15 feed conversions. The chemistry, if it
16 fluctuates, on a seasonal basis, depending on what
17 chemical parameter changes, that could be a
18 problem for us.

19 Historically with the spring water, we
20 haven't seen that -- that kind of fluctuation.
21 The changes going on now with the nitrate-nitrogen
22 at the Snake River Farm, and to some extent at the
23 Crystal Springs, one of the Crystal Springs
24 springs, that is of growing concern. That is a
25 concern. We've talked about that. But in this

1 case the particular focus is on temperature, yes.

2 Q. Optimal growing conditions, don't they
3 include temperature, water quality, water
4 quantity, oxygenation?

5 A. Yes.

6 Q. Aren't all of those factors of optimal
7 growing conditions?

8 A. Yes.

9 Q. When here you said there was no
10 fluctuation, you were only talking about
11 temperature?

12 A. In the spring water that's supplied to
13 us, as a general statement. And a good example is
14 Box Canyon.

15 Q. So do these seasonal fluctuations that
16 occur in the quantities, do they have any
17 disruption of your ideal growing conditions?

18 A. Well, they do in that we have to plan
19 for the low water. That determines the maximum
20 amount of fish that we could put in there. And
21 that's a judgment call that we have to make every
22 year.

23 Q. Dr. Brendecke's testimony, which I
24 understand you may not have read, came to the
25 conclusion that the over-the-rim plan would,

1 because water is being pumped on a year-round
2 basis, help to level out those fluctuations and
3 arguably reduce the lows in the summer period when
4 you now experience them, but increase the lows in
5 the winter period.

6 Would that be a benefit or a burden to
7 Clear Springs' operation if that were true?

8 A. If that were true, and it had no other
9 impacts in the Clear Lakes spring complex or
10 Crystal Springs or Box Canyon, that would be
11 great. If we can -- if we can stabilize those
12 flows and have them year-round, that's a benefit.

13 Q. Turning to page 15 -- and I apologize
14 for asking the same or similar questions, but it
15 seems like you have a lot of rather repetitive
16 testimony on the same point.

17 On lines 390 through 392, you again
18 state here in bold lettering that the
19 "...mitigation plans, if implemented, would
20 jeopardize the marketability of the core
21 business."

22 Again, I assume you're referring to
23 the fact that it's well water, not spring water,
24 and the two wells that have the higher nitrate
25 problems? Are those the fundamental aspects?

1 A. That's correct. That's correct.

2 Q. Okay. Has there been any studies or
3 empirical data that support this concern that
4 you've expressed and Mr. Cope expressed yesterday
5 that somehow Clear Springs' product marketability
6 would be jeopardized?

7 A. No, there's not been any studies.
8 That kind of quantitative study is -- you have to
9 do it after the fact. And so what -- we have to
10 make the judgment, Mr. Cope has to make the
11 judgment whether or not -- and our marketing
12 people would have to make the judgment what would
13 be the actual implications of that.

14 Q. Would you have any different opinion
15 than was expressed by Mr. Cope as to whether or
16 not Clear Springs would accept water from the plan
17 if it were approved and constructed? If I recall
18 his testimony, he suggested that would have to be
19 a decision made at a later date.

20 A. That's the current state of our
21 discussions, that's correct. So I agree with
22 Mr. Cope.

23 Q. Okay.

24 A. We obviously would prefer that you do
25 not build that pipeline because we're opposed to

1 it. And the reason we are in the current
2 conditioned stay, if you'll recall in a different
3 proceeding, is that we think that there are better
4 ways to address things than in the OTR and in the
5 current process we're in.

6 Q. So Clear Springs obtained that stay
7 order I believe of May 15th, 2009, and I believe
8 it's Clear Springs' position that that stay order
9 remains in effect for a two-year period?

10 A. That's correct.

11 Q. And is it your view, I believe
12 consistent with what Mr. Cope testified, that if
13 the plan were approved, that before any capital
14 expenditures were made -- I think that's how he
15 characterized it -- it would be appropriate to
16 have further dialogue on other solutions?

17 A. That's correct.

18 Q. Do you have any specific proposals --
19 I know your testimony and Mr. Cope's suggested
20 that there were other proposals that should be
21 pursued by the ground water districts short of
22 curtailment.

23 Do you have any elaboration or
24 information you could provide on what other
25 proposals would be acceptable to Clear Springs in

1 lieu of this delivery plan that would either
2 satisfy the current order that we're living with
3 or give Clear Springs reason to waive compliance
4 of that order?

5 A. Well, yes, I do. And there is several
6 things that the ground water districts have not
7 considered or put forward if they've considered
8 them.

9 And first of all, the type of crops
10 that are grown could be altered so that they're
11 less water intensive.

12 You could consider rotational
13 fallowing. I think Mr. Cope mentioned that.

14 You could consider an across-the-board
15 reduction of some volume of ground water pumped
16 for all water -- all ground water pumpers, say
17 10 percent. And I don't have any clue whether
18 that would be sufficient to properly mitigate for
19 Clear Springs.

20 And then I think you had raised the
21 question, and I think you brought it up from
22 Dr. Brockway's expert report, which I don't
23 know -- I haven't read Dr. Brockway's final
24 report, so I don't know all the details there,
25 but -- if there are any details, but the thought

1 there is to try to put that the -- when the water
2 has the best value to a particular type of
3 operation, such as aquaculture, so basically your
4 first-use water, let aquaculture use that first,
5 and then take that water and pump it up for other
6 uses such as irrigation agriculture where the
7 chemical quality of the water is not nearly as
8 important. That's the concept.

9 It -- that type of project would
10 require a collaborative approach with the number
11 of entities, such as Idaho Power, conservation
12 groups, nature conservancy, because you don't want
13 to damage Idaho Power water rights, for example,
14 or downstream water rights.

15 But they have -- they themselves,
16 Idaho Power, has some needs to increase spring
17 flows, for example, for temperature purposes. So
18 that's what has been kicked around a bit. And --
19 but just kicked around.

20 I -- I don't know that that particular
21 thing has any legs to it. We've had some internal
22 discussions about that would be good, and
23 actually, that would -- if you were to take water
24 from, say, the Snake River or Clear Lake itself or
25 effluent, Clear Springs might want to participate

1 financially in supporting that because that would
2 be a way to address waste load allocations.

3 Let's suppose we can develop the
4 technology to increase production and it exceeds
5 our ability to offset that increased production
6 and have phosphorus limitations, then, that would
7 be impossible for us to address.

8 If we were to take the effluent water
9 and pump it up, that would be helpful to Clear
10 Springs, and we would want to participate that
11 way. So those are the thoughts that we have.

12 Q. Thank you.

13 Turning to page 16 of your testimony,
14 on lines 417 and 418 is the statement that "Clear
15 Foods also imports other seafood to create
16 value-added products, such as various Splash
17 items."

18 Is that the mahi mahi product that was
19 referred to by Mr. Cope yesterday?

20 A. Part of it is.

21 Q. Are there other imported products?

22 A. The Argentine product goes into
23 Splash. So it's different than our core fresh
24 fish business with those imported products.

25 Q. Argentine and Chilean are still trout

1 products?

2 A. That's correct. They come in as
3 frozen filets.

4 Q. Okay.

5 A. And then we are looking -- I think
6 Mr. Cope referred to swai. That's another item
7 that we would be importing for that Splash in our
8 Seafood Perfection brand that we've just recently
9 launched.

10 Q. On that same page further down,
11 beginning on line 421 through line 424, you
12 testify concerning the gross sales. And you
13 state, "Clear Springs' annual growth sales for FYE
14 2009 were close to 50 million and are projected to
15 be 56 million in 2010."

16 And Mr. Cope had said on page 3 of his
17 testimony that the sales were 56 million in 2009.

18 Have you had an opportunity to
19 reconcile that difference?

20 A. I don't know that Mr. Cope said that
21 the sales in 2009 were 56 million. They --

22 Q. Let me get his exact testimony so
23 we're clear on that.

24 A. What may be confusing is our fiscal
25 year.

1 Q. Mr. Cope says on page 3, line 96 of
2 his testimony, "The projected revenue for the
3 current year is approximately \$56 million."

4 A. Right.

5 Q. And you say, "Annual gross product
6 sales for fiscal year 2009 were close to
7 50 million and are projected to be 56 million in
8 2010."

9 A. We're in our fiscal year 2010 as we
10 speak.

11 Q. Okay.

12 A. So the projections --

13 Q. When he refers to "the current year,"
14 you're suggesting he's not referring to 2009, but
15 fiscal year 2010?

16 A. That's right.

17 Q. And that would thus be consistent with
18 your projection in 2010 of 56 million?

19 A. And that's a general number that, as I
20 think I mentioned, maybe we were off record, I'm
21 not sure, the number that I -- I put in here is a
22 number that is generally available to us by way of
23 a board meeting or to the employees.

24 Q. Also Mr. Cope on the very next
25 sentence says that "The current value of the

1 employee ownership in the trust as of August 31,
2 2009, was approximately 30 million."

3 And on page 16, line 423 of your
4 testimony, you state that the "...employee owners
5 have a beneficial interest of approximately
6 \$34 million in the trust."

7 Do you have an explanation for which
8 number would be correct?

9 A. Well, again, these are general numbers
10 that, you know, we don't -- these are from memory.
11 I don't have any -- I didn't refer to any
12 documents or anything like that to try to be
13 precise. So it's in that range.

14 Q. You certainly have no documents
15 produced to enable us to confirm which number
16 might be correct?

17 A. That's correct.

18 Q. The source of information that
19 apparently you have in your mind and Mr. Cope had
20 in his mind must have been based upon your review
21 of some financial records of the company?

22 A. Not -- not in my case. In my case it
23 was what I've heard through conversation at Clear
24 Springs Foods.

25 Q. Okay.

1 A. I'm sure we do have financial records.
2 We're a large food company, a midsize food
3 company, and so we would want good records.

4 Q. On page 19, lines 508 through 510, you
5 state that "Clear Springs Foods does not know what
6 pesticides or herbicides would be delivered in the
7 ground water."

8 Do you test for any herbicides or
9 pesticides in any of your water-quality testing?

10 A. Not now. We did for a number of years
11 from about 1989 to 2000 or so, 2001. And at that
12 time the water -- we could not detect any
13 pesticides. So we elected to just test the fish
14 and the feed for pesticides.

15 With the appearance of -- and the --
16 or two reasons for that, for stopping that
17 testing: One was we weren't finding anything, and
18 we had pretty good confidence that between the
19 Department of Water Resources and the Idaho State
20 Department of Agriculture and the ground water
21 protection plans that are out there with the
22 State, that if they detected something they
23 would -- they would let us know.

24 Well, it turns out they did detect
25 things, but they didn't let us know. So that's a

1 concern to us.

2 But the other part is that with the
3 nitrates that we picked up, we will have to start
4 testing.

5 Q. Okay. But from the testing that was
6 done from '89 to 2001 and from the ongoing testing
7 you do on your fish products, is it accurate to
8 say there has not been any detection of pesticides
9 to date?

10 A. There have been no detection of the
11 organochlorine hydrocarbon type pesticides to
12 date.

13 Q. And do you see anything in the
14 chemical testing done by the ground water
15 districts that would indicate any pesticides?

16 A. The data that's been provided is very
17 short term, and you've only looked at pesticides
18 that -- for the most part that are no longer used
19 in Idaho. The pesticides, in particular the
20 herbicides, have not been looked at in your
21 testing.

22 They have been looked at with the
23 Idaho State Department of Agriculture in their
24 monitoring, and they have detected, in particular,
25 herbicides --

1 Q. In --

2 A. -- in some of those wells.

3 Q. In the wells that we have identified
4 as part of the over-the-rim plan?

5 A. I'm unable to compare your wells with
6 their wells. I don't have that knowledge about
7 how to cross-reference those.

8 Q. Okay. You say you don't know. But do
9 you have any knowledge or any evidence that you've
10 seen to suggest there are any pesticides or
11 herbicides of any type in the East Snake Plain
12 Aquifer source that supplies the over-the-rim
13 wells or the Clear Springs spring outlets?

14 A. There have been pesticides detected in
15 areas above the Snake River Farm in Jerome,
16 various townships there.

17 Q. I was referring to the water, not --
18 obviously there's a lot of pesticides --

19 A. In the water, in the ground water
20 they've sampled, and I've provided that reference
21 site in my report -- did not include specifics in
22 my report, because I'm -- I don't -- I can't tell
23 you where those are, other than section section,
24 that kind of stuff, so...

25 Q. Okay. Maybe I didn't ask my question

1 attempting to create some kind of an inference
2 that there may be a pesticide problem out there.
3 And so my question was simply to inquire further
4 as to that inference that you want to create here
5 in your testimony, whether it's based upon any
6 scientific data or studies that you've seen on
7 these particular wells.

8 And your answer, I understand, is no?

9 A. We're not trying to create an
10 inference, other than we don't know.

11 Q. Okay. On page 22, lines 608 through
12 610, you discuss the reuse of water --

13 A. Uh-huh.

14 Q. -- to the outdoor raceway.

15 And if my math is correct, at least
16 based on the numbers you provided here, roughly
17 about 41 percent of the water coming into that
18 grow-out raceway is reuse and about 59 percent
19 would be fresh water?

20 A. Sounds reasonable.

21 Q. And if I understand correctly, there
22 isn't water-quality testing that goes on with
23 respect to the reuse water that goes into that
24 raceway?

25 A. That's correct.

1 very precisely. But what I was intending to ask
2 is if you have seen any evidence of the detection
3 of pesticides or herbicides in any of the well
4 water proposed for the over-the-rim delivery or in
5 any of the water in the aquifer that is discharged
6 as a part of the Clear Springs source?

7 A. Well, we've not detected pesticides in
8 the spring water delivered to Snake River Farm.

9 Q. Okay.

10 A. But that doesn't mean that water that
11 would impact the wells that you propose to use or
12 in the surrounding area would not have pesticides.

13 Q. Again, my question was -- we can
14 speculate all you want. But I wanted to ask
15 specifically if you're aware of any information or
16 data indicating there are pesticides or herbicides
17 in any of the spring water, which you say no.

18 And I also asked, or in the wells?

19 A. No. There's no data that we've seen
20 that would say the wells are contaminated with
21 pesticides or that the spring water is
22 contaminated with pesticides.

23 Q. Okay.

24 A. But you haven't looked properly.

25 Q. When you say you don't know, you're

1 Q. Okay. Over on page 27 of your
2 testimony, on lines 766 through 770, I think this
3 is the nitrate testing concern that you raised
4 earlier and some kind of substantiation or
5 confirmation concerning how the testing was done
6 from the lab would address that concern?

7 A. That's correct. While the other part,
8 though, was -- and I think this -- and I'm not
9 sure if this is in this part or not, but for the
10 phosphorus testing that you had done, ESC
11 Laboratories used a different methodology --
12 apparently a different methodology than what we're
13 required to use in our NPDES permit monitoring.

14 So the phosphorus numbers might be a
15 bit different as a consequence. And they could be
16 the same. But we don't know because I think the
17 ESC method that was used, there's no digestion
18 involved with the water sampling. So you would
19 not necessarily recover all of the phosphorus that
20 might be there, as total phosphorus.

21 Q. So if I understand your concern, then,
22 our laboratory confirmation should address both
23 nitrate and phosphorus?

24 A. Well, no. There's two issues here.

25 One is we don't know -- I don't know what method

1 was used in the nitrate-nitrogen analysis. And
2 it's too late now, unless they've archived the
3 samples -- but that would be too late anyway,
4 because the shelf life of those samples would be
5 expired.

6 But for the phosphorus, the
7 methodology used was not thorough enough to -- to
8 provide a value for what the real total phosphorus
9 is in the well water. It's -- the phosphorus test
10 that ESC used is an EPA-approved method. It's
11 just not as sensitive as what we use for spring
12 water or effluent water.

13 Q. On the bottom of page 24 when you
14 discuss the --

15 A. 24?

16 Q. Excuse me, on the bottom of page 27,
17 beginning at line 778.

18 A. Yes.

19 Q. "Water from well 2 and 4 are
20 contaminated."

21 Is the basis of that statement based
22 only upon the nitrate --

23 A. Yes.

24 Q. -- levels?

25 A. Well, it's also based on the

1 phosphorus levels. The ground water shouldn't
2 have phosphorus in it. Shouldn't have maybe more
3 than .02 to .03 milligrams per liter phosphorus.

4 But those wells, and I believe one
5 other well, had higher concentrations of
6 phosphorus. But -- and that other well, I think I
7 referred to it in the report -- is that well 8? I
8 think something like that.

9 Q. So if those two wells were eliminated,
10 would you agree that the water from the other
11 wells would be consistent with the chemical nature
12 of water historically received at Clear Springs
13 Foods?

14 A. Yes. And that should be buffered,
15 again, with the problem we have with the data not
16 being rigorous enough.

17 Q. On the testing that Clear Springs has
18 been doing since 2007, the more rigorous testing,
19 do you test for phosphorus?

20 A. We do. And that data was provided to
21 you.

22 Q. Turning to page 29, beginning at
23 line 830 and continuing on through 836, you
24 comment on Mr. Eldridge, Mr. Scanlan, and
25 Mr. Schuur's conclusion that rainbow can tolerate

1 and/or thrive up to 100 milligrams per liter of
2 nitrate. And you continue on line 835 and state,
3 "I am unable to confirm the legitimacy of the
4 reference," referring to that Wedemeyer reference.

5 A. Wedemeyer.

6 Q. Wedemeyer. Do you disagree with their
7 statement that rainbow can tolerate up to
8 100 milligrams per liter?

9 A. Yes.

10 Q. And your basis for that would be what?

11 A. Kincheloe.

12 Q. And --

13 A. And now the endocrine disruption
14 properties -- apparent disruption properties of
15 nitrate.

16 Q. Okay. I thought you said earlier you
17 didn't profess to be an expert on endocrine
18 interruption and just had a concern about the
19 impact of higher levels?

20 A. Well, I think I'm a well-equipped
21 biologist, and so when the scientific literature
22 starts to indicate that nitrate-nitrogen is an
23 endocrine disrupter for all vertebrate animals,
24 and perhaps invertebrates as well, that's an
25 indication that it's -- that it's a problem.

1 Q. Would eggs in juveniles tend to be
2 more sensitive than adults to elevated nitrate
3 levels?

4 A. Yes.

5 Q. When you say you're unable to confirm
6 the legitimacy of the reference, what do you mean
7 by that?

8 A. Well, I looked at the publication, the
9 book.

10 Q. Okay.

11 A. And it all cites from the index that
12 referred to nitrate. And the comments in those
13 chapters are nonspecific. They just say fish can
14 tolerate nitrate.

15 Q. So when you suggested it was not
16 legitimate, just meaning you couldn't confirm that
17 that particular reference supported the conclusion
18 that they reached?

19 A. Well, it is a reference. It's a
20 legitimate reference.

21 Q. You say it doesn't support their
22 conclusion that 100 milliliters would not cause a
23 problem?

24 A. 100 milligrams per liter.

25 Q. Uh-huh.

1 A. Yes, it does not confirm that rainbow
2 trout, early life stages, can tolerate
3 100 milligrams per liter.

4 Q. On page 34, lines 978 through 980, you
5 state, "The water temperature measured at the well
6 sites at the Fred Nihart Fountain is all
7 consistent with the water temperature delivered to
8 the Clear Springs Foods Snake River Farm complex."

9 A. Correct.

10 Q. So are you basically stating there
11 that based on those measurements water temperature
12 is no longer an issue?

13 A. No. All I said was that they are
14 consistent. If through to the OTR project water
15 temperature is altered -- and I'm not equipped to
16 make that kind of analysis or prediction, but if
17 it were, then temperature could still be an issue.

18 But based on this, water temperature
19 in the ground water wells is essentially the same
20 as the temperature of the spring water that we
21 receive.

22 Q. According to the analysis by
23 Dr. Brendecke -- and I appreciate you may not have
24 read that -- he analyzed the effect of pumping the
25 water. And his testimony, if I recall it

1 those testing areas?

2 A. No. There's -- the water we receive,
3 as best we can tell, does not fluctuate. Now,
4 going out of the farms, it does fluctuate. And
5 during the summer, it's a little bit warmer.
6 During the winter, it's a little bit colder.

7 But not -- the spring sources
8 themselves, there does not seem to be any
9 temperature variation that is of any concern to
10 us, anyway. And that's one of the things, as
11 we've talked about in this deposition, that's
12 really what distinguishes the rainbow trout
13 produced in the Snake River area from other areas,
14 including our Argentine and Chilean suppliers.

15 There, the water temperature, the
16 river water temperature, probably does fluctuate.
17 I don't know that for a fact. I haven't been
18 there, and I don't have that data.

19 But in many other places where rainbow
20 trout are grown in the United States, there is
21 significant temperature fluctuation. And that
22 affects growth rates. It affects survivability of
23 the fish.

24 Q. On page 35, lines 1006 through 1008,
25 you indicate the Clear Springs proposes the

1 correctly, concluded that if the water was pumped
2 from a number of the wells, the overall
3 temperature would decline minus .3/10ths of a
4 degree Fahrenheit, and I believe he concluded that
5 if there was a single consolidated well, which was
6 part of the proposal --

7 A. No. 4.

8 Q. Yes.

9 -- the overall decline would be a
10 minus .1/10th of a degree Fahrenheit.

11 Would that reduction in temperature of
12 a tenth to a third of a degree Fahrenheit have any
13 concern upon your ability to use the water at the
14 facility?

15 A. No.

16 Q. Okay.

17 A. Thank you for not smiling when you
18 were saying that.

19 Q. Would it even be detectable?

20 A. If it is -- well, we could detect it.
21 We would not detect it impacting production or
22 research or the brood -- selective breeding
23 program.

24 Q. Is there temperature variation from
25 year to year or seasonally or by site at any of

1 location of the degassing chamber.

2 A. No. "The ground water districts
3 propose to place the degassing chamber at the
4 northwest corner. Clear Springs opposes this
5 location."

6 Q. Okay. That's what I said. You oppose
7 the location of the --

8 A. I'm sorry. I thought you said we
9 proposed the location.

10 Q. No.

11 A. But we oppose it.

12 Q. So you recognize you need it.

13 What is a degassing chamber?

14 A. Well, that's what the chamber is that,
15 I believe, Mr. Eldridge and -- has proposed to
16 construct at that location.

17 Q. And you're suggesting you need one,
18 just at a different location?

19 A. Right.

20 Q. Would a location across the road be
21 suitable?

22 A. Well, no. We're opposed to the
23 over-the-rim project, so it would not be suitable.
24 But if you had to put something there, that's
25 where, you know -- not on our property, I guess,

1 is the bottom line.

2 Q. You wouldn't want to have control of
3 it?

4 A. No.

5 Q. Okay. On the bottom of that same
6 page, 35, you seem to be expressing on lines 1023
7 through 1025 a concern about colonization of
8 bacteria on the inner surface of a pipeline?

9 A. Yes.

10 Q. Has that been a problem with any of
11 the existing piping utilized at Clear Springs?

12 A. Not to our knowledge.

13 Q. And wouldn't such a concern be reduced
14 even further by use of the plastic pipe proposed
15 by the districts as opposed to the steel pipe?

16 A. I'm not equipped -- I don't know. We
17 do know that off-flavor can be a significant
18 problem. In the channel catfish industry, for
19 example, in the deep South of the U.S. has a
20 significant problem with off-flavor in their fish.

21 And I just raise this as a question.
22 And I know I shared some of our literature that
23 I've seen on biofilm information in pipes and
24 off-flavor stuff. But I have no knowledge that
25 the pipeline would be any more or less likely to

1 be colonized.

2 Q. Not something you've discussed with
3 Dr. Brockway yet?

4 A. No.

5 MR. BUDGE: Let's go off the record.
6 (Lunch recess.)

7 Q. (BY MR. BUDGE): Dr. MacMillan,
8 approximately what's the flow-through time of
9 water from the intake to discharge from the Snake
10 River Farm facility?

11 A. 100 to 120. I know it's something
12 like that. Generally about 20 minutes per
13 raceway.

14 Q. And would that be the same answer to
15 the question of how long would it take to drain
16 the facilities if the water was shut off all
17 together, it would be roughly 120 minutes, 100 to
18 120 minutes?

19 A. That seems logical.

20 Q. And then would my understanding be
21 correct that all of the uses by Clear Springs at
22 Snake River Farms would be considered
23 nonconsumptive, except for the evaporation that
24 might be associated?

25 A. I think that's correct.

1 Q. Carrying capacity for fish in your
2 raceway would be a factor of a number of things, I
3 suppose water supply and flow rate would be one
4 factor.

5 And would dissolved oxygen level also
6 be a factor?

7 A. Yes.

8 Q. And I suppose temperature is a factor?

9 A. Yes.

10 Q. Fish size?

11 A. Yes.

12 Q. And pH?

13 A. PH, yes.

14 Q. Any other things that come to mind?

15 A. Carbon dioxide, ammonia, un-ionized
16 ammonia. Those are the things that come to mind
17 immediately. I think in my first report it
18 identified a number of things that -- and I think
19 all those were included. I'm not sure if there
20 was anything else.

21 Q. There may be others?

22 A. Yeah.

23 Q. Normally you evaluate productivity in
24 terms of pounds of fish per cfs of water flow?

25 A. Correct.

1 Q. That 30,000 pounds of fish per cfs per
2 year which Mr. Cope provided would be a rounded
3 figure that's relatively constant?

4 A. Yes. It doesn't -- you know, the --
5 times we can do even better than that. I think
6 we've had times where it has been 35,000 pounds
7 per cfs. But as an average, 30- sounds -- sounds
8 probably about right.

9 Q. And would that be a factor of how much
10 feed the fish are given?

11 A. Partly.

12 Q. Okay. Is feed the largest variable
13 cost of production?

14 A. It is.

15 Q. Do you know approximately what percent
16 that would be?

17 A. Well, I think the rule of thumb is 50,
18 55 percent. But I don't -- I don't see the -- the
19 operating statements on that to know.

20 Q. My understanding, there isn't any
21 treatment of the water within the Clear Springs
22 facility?

23 A. There's no -- well, it depends on what
24 you mean "treatment." We do have settling basins,
25 quiescent zones at the end of each raceway. And

1 that captures a good bit of the solids that settle
2 out. There's no chemical treatment or filtration,
3 anything like that that would be associated with
4 recirculating aquaculture, for example.

5 Q. And with feed being the largest
6 variable cost of production, do you have an
7 estimate of the cost of production per pound?

8 A. I do not. My role at Clear Springs is
9 different than operations. I don't know.

10 Q. You probably wouldn't know the sales
11 price per pound on average?

12 A. Only -- no. That is so variable
13 because it depends on the market, the style of
14 fish. And you should have asked Mr. Cope that if
15 you really needed that. But I bet even he
16 wouldn't know.

17 Q. I think you testified obviously that
18 maintaining the existing water source is a major
19 business challenge going forward?

20 A. Yes.

21 Q. Would the increasing amount of
22 low-cost import products also be a substantial
23 business challenge you're facing?

24 A. It is. It is. Not only imported
25 products, but domestically-raised products can --

1 you can raise trout cheaper than Clear Springs
2 does. And they're out in the market.

3 And our marketing people, salespeople
4 tell me that while there is cheaper product out
5 there, they still have a hard time competing with
6 us because price isn't the only consideration in
7 the marketplace.

8 And that gets back to why the image of
9 Clear Springs, our cachet, so to speak, our
10 perception out there is so important for Clear
11 Springs to protect. We do demand, we command
12 probably the higher prices out there for our
13 products of rainbow trout.

14 And so -- so that's the dynamic that
15 we deal with. Not only is there -- there is some
16 import of rainbow trout into the United States.
17 There's some production in -- aside from Idaho,
18 out -- you know, that we're competing against as
19 well for rainbow trout.

20 Q. I rather had the impression from
21 Mr. Cope's testimony that Clear Springs was the
22 least-cost producer of any of the large-volume
23 producers, but you're suggesting that isn't
24 necessarily the case?

25 A. Well, there's no way to tell who's the

1 least cost, because those kinds of numbers are not
2 collected by any agencies that I know of. The --
3 we would like to think, because we think we're
4 very good at producing rainbow trout, that we
5 figured it out, how to do it.

6 And I think he mentioned in his
7 testimony that he thinks that -- he'd like to
8 think we are the least cost. But we have no way
9 to quantitate that.

10 MR. BUDGE: Let's mark that as 29.

11 (Exhibit 29 marked.)

12 Q. (BY MR. BUDGE): I have a few
13 questions regarding some information in this
14 Exhibit 29 that's entitled "A Profile of the
15 Aquaculture of Trout in the United States."

16 I suppose you've probably seen this
17 before?

18 A. Awhile ago.

19 Q. On page 25 they talked about some
20 drought losses that occurred in 2002 of 29 percent
21 in Utah, 35 percent in Colorado, and only
22 4.1 percent in Idaho, all higher than in previous
23 years.

24 Do you have any explanation on the
25 differences between the trout operations in Idaho

1 and Utah that would explain that?

2 A. I don't have any specific information,
3 but I would imagine that the source of water is a
4 factor, reliability of the water being delivered,
5 but I don't know on what basis they -- they came
6 up with these numbers or anything like that.

7 Q. On page --

8 A. And drought, incidentally, could also
9 be manifested in elevated water temperatures to
10 these facilities, which would make, you know, just
11 the viability of the trout very difficult if the
12 temperature got too high.

13 Q. Does your production go up or down
14 much in these years of severe drought versus a
15 good water year? Do you notice much change year
16 to year?

17 A. Well, again, we have to -- we have to
18 predict what our low water flows are going to be,
19 and base our stocking on that basis. And I think
20 Mr. Cope spoke to that as well.

21 So the good thing for us with the way
22 the springs work, a drought may impact the region.
23 We don't see the impact of that drought unless
24 there's an increase in pumping. And we don't see
25 the impact for a year to two or three years.

1 So -- so that's good. But we also don't see the
2 impact of improved water conditions for that same
3 length of time.

4 Q. At the bottom of page 28, this paper
5 makes a comment that "38 percent of all trout
6 producers in the region pump at least part of
7 their water and 19 percent pump all of their water
8 used."

9 A. I --

10 Q. Would that indicate that pumping alone
11 is not necessarily an impediment to raising trout?

12 A. Pumping alone is not an impediment to
13 raising trout, that's true. It is an impediment
14 to Clear Springs because of all the reasons we've
15 been talking about.

16 Q. Okay. But not the fact that the water
17 goes through some pump mechanism that causes the
18 impediment?

19 A. No. And just the question to ask --
20 and, you know, I don't know if this publication
21 addresses the issue, but how do you sell the fish?
22 Are you a generic producer, or are you a branded
23 premier producer? That's at play. That's an
24 issue at play here.

25 And, you know, my experience with the

1 U.S. trout farmers is that you pump water for
2 awhile, but unless you can command an elevated
3 price for that product -- and it's typically
4 probably the small family farm that might try to
5 do that. Unless you can command a high price, you
6 can't continually pump water. And that's the
7 challenge.

8 So then you're competing with the
9 Clear Springs of the world that don't pump water,
10 and you're also competing with the lower cost
11 types of fish, of seafoods that are out there,
12 such as swai.

13 Q. As we've discussed the operation of
14 Clear Springs, it seems that there's four basic
15 areas of your business where you work to manage to
16 assure quality and also avoid contaminants and
17 disease: One obviously is the water supply that
18 we've been focusing on, another would be the fish
19 feed, a third would be the actual fish production,
20 a fourth would be the processing of the fish, and
21 I suppose a fifth would be the packaging and
22 distribution of the fish?

23 A. And marketing.

24 Q. Do you have active plans in place that
25 deal with the management of these risks of

1 contaminant and/or disease of each of these
2 levels?

3 A. The disease, we have a biosecurity
4 program in place and continue to revise that as --
5 disease health management is not an exact science.
6 So we continue to try new things.

7 I think I mentioned yesterday we have
8 a vaccine that appears to have been fairly
9 effective at reducing some mortality. And that's
10 a vaccine that we've produced, invented and
11 produced. So that's a very active program.

12 Our monitoring program for pesticides,
13 for example, now has shifted just to the finished
14 product and the feed, because our history with the
15 water-quality aspects of pesticides is that there
16 aren't any pesticides in the spring water that we
17 were looking at.

18 Again, because of the nitrate
19 presence, we just -- you have to wonder if --
20 because there's clearly contamination of that
21 water with nitrates, is there anything else with
22 it?

23 And periodically in the ISDA data on
24 ground water quality, they do identify pesticides,
25 in particular the herbicides, as being present.

1 So -- so no, we're -- there's a really
2 good chance we're going to have to increase our
3 scrutiny of the water, but also the fish, for some
4 of these other pesticides that apparently are
5 occurring in the ground water. Even if we don't
6 identify it in the spring water, we still are
7 obligated to test for them.

8 So we haven't -- but we haven't
9 started that yet. You know, we have to find a
10 laboratory -- we don't have the capability of
11 testing internally for those -- for the meat, for
12 the flesh. And so we have to find a laboratory
13 that can do it and see what kind of pricing we can
14 get and that kind of stuff.

15 Q. What food-safety issues exist, if any,
16 that occur because of the use of well water under
17 this plan, if you excluded the wells that have the
18 two nitrate issues, wells 2 and 4 that you have
19 previously expressed concern about?

20 A. Well, we don't know of any food-safety
21 issues simply because -- and we can't say for
22 sure, because we only have limited data on the
23 ground water quality. And you can argue until
24 you're blue in the face, so to speak, about,
25 "Well, we have other wells in the periphery, and

1 the Department of Water Resources has data" on and
2 on. You can select wells that have really good
3 data, or you can select wells that maybe don't
4 have as good of data to create your argument.

5 And so that's the -- one of the
6 problems with the OTR is that we don't have a long
7 history of information.

8 Q. Give me just a moment, and I'll see if
9 there are any issues that Mr. Cope referred to you
10 that we haven't covered.

11 A. So I assume we're done with this one?

12 Q. Yes.

13 On the Box Canyon Creek source that
14 supplies Box Canyon, Mr. Cope was unaware of any
15 concern about ag runoff into that creek.

16 Are you aware of any ag runoff
17 concerns about that?

18 A. I'm not aware of any ag runoff. We do
19 monitor the influent quality for nitrate-nitrite
20 and for total phosphorus. And we've not seen any
21 real change in nitrate-nitrite, and the phosphorus
22 has stayed very constant over the period of time
23 that we've been looking at it.

24 So we don't have any evidence that
25 there's contamination or there's ag runoff into

1 that Box Canyon Creek, which again, we believe is
2 spring flow.

3 Q. Mr. Cope also deferred to you on this
4 discussion about oxygen. And I think you
5 testified that oxygen levels is a major factor in
6 production.

7 Are there ways to increase the
8 efficiency of the use of the water by increasing
9 oxygen levels?

10 A. There are ways to increase the amount
11 of oxygen. Whether you can do it economically is
12 a question. Clear Springs has over the years put
13 in a lot of research effort into using low-head
14 oxygenators and things like that to see if we
15 could materially impact the amount of oxygen in
16 later-use raceways. And we could increase it, but
17 not economically.

18 Q. Okay.

19 A. So that's -- you know, one of the
20 things you learn fairly quickly in the aquaculture
21 business, at least if you're a scientist, and that
22 is that there's lots of engineering things that
23 you can do, but you can't afford to do them.

24 Q. Since those raceway facilities were
25 constructed at Snake River Farms, has there been

1 any changes in the mechanics or facilities or
2 operation to increase the dissolved oxygen levels,
3 or are they pretty much the same as they were when
4 they were built?

5 A. Well, I cannot address how they were
6 when they were built. We did go through a period
7 of time looking at different ways to increase the
8 oxygen as it -- the water goes from one raceway to
9 the next. So right at that weir, so to speak,
10 that drop off, we've looked at different brush
11 devices and ways to splash the water so that you
12 can decrease the size of water -- droplets of
13 water to have oxygen diffuse in.

14 And we have landed on a place that
15 seems to work. We can put about 1 -- roughly
16 speaking, 1 part per million, 1 milligram per
17 liter of oxygen between each drop of water, you
18 know, from one elevation to the next elevation.

19 Q. So that's some changes that have been
20 done?

21 A. While I've been there, that's what
22 we've done. Whether that was -- so yes.

23 MR. BUDGE: That's all I have. Thank you
24 very much.

25 THE WITNESS: Are you sure?

1 MR. BUDGE: Off the record.
2 (Deposition concluded at 1:48 p.m.)
3 (Signature requested.)
4 -oOo-
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25